

BEFORE THE ADMINISTRATIVE HEARING COMMISSION

STATE OF MISSOURI

STATE BOARD of REGISTRATION,
for the HEALING ARTS

COPY

Plaintiff,

-vs-

Case No. 94-002029-HA

EDWARD W. McDONAGH, D.O.,

Defendant.

D E P O S I T I O N

of L. TERRY CHAPPELL, M.D., witness herein, called
by the Plaintiff herein, taken by and before Pamela
L. Lather, a Notary Public in and for the State of
Ohio, located at the Celebration of Health Center,
Inc., 122 Thurman Street, Bluffton, Ohio, on the
16th day of September, 1996.

- - -

PAM LATHER & ASSOCIATES
Registered Professional Reporters
Findlay, Ohio
(419) 422-6749

C O M P U T E R I Z E D T R A N S C R I P T I O N

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

COUNSEL FOR THE PLAINTIFF:

Mr. Glenn E. Bradford
BRADFORD & ASSOCIATES
1150 Grand Avenue, Suite 230
Kansas City, Missouri 64106

COUNSEL FOR THE DEFENDANT:

Mr. Alexander E. Goetsch
SEELEY, SAVIDGE & AUSSEM
800 Bank One Center
600 Superior Avenue, East
Cleveland, OH 44114-2655

- - -

E X H I B I T I N V E N T O R Y

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

Plaintiff's Exhibit No. 1
Dr. Chappell's CV

- - -

**Retained by Mr. Bradford

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Medical Offices
122 Thurman Street
Bluffton, Ohio

September 16, 1996
6:10 p.m.

- - -

WHEREUPON, with counsel of record, deponent
and Notary present, the deposition commenced,
to-wit:

L. TERRY CHAPPELL, M.D.,

who, after having affirmed, testified as follows,
to-wit:

CROSS EXAMINATION BY MR. BRADFORD:

Q Would you state your name for the record,
please?

A Louis Terry Chappell.

Q You live in Bluffton, Ohio?

A Yes, I do.

Q I have been informed that you are going to
be a witness in a case in the Board of Registration

1 for the Healing Arts against Edward McDonagh, D.O.;
2 is that your understanding?

3 A Yes.

4 Q And you have been asked to testify in that
5 case?

6 A Yes, I have.

7 Q Okay.

8 You gave me a CV. That's been marked as
9 Chappell Deposition Exhibit 1.

10 Is that a updated, correct copy of your CV?

11 A Yes.

12 Q Reasonably current?

13 A Yes.

14 Q And you're an M.D.?

15 A Yes, I am.

16 Q Are you Board Certified in anything
17 particular?

18 A Board Certified in family practice, and in
19 chelation therapy and in pain management. ?

20 Q Okay.

21 Is that pretty much how your practice is
22 made up?

23 A Pretty much.

24 Q Chelation, EDTA chelation, pain management
25 and family practice?

1 A But EDTA chelation encompass a much broader
2 school of nutritional therapies and various
3 approaches to chronic degenerative disease,
4 primarily.

5 Q When did you first use EDTA chelation
6 therapy?

7 A It was, I think, 1981.

8 Q And how did you come to start using that as
9 a therapy in your practice?

10 A I went to a workshop put on by the
11 forerunner of the American College of Advancement in
12 Medicine and learned the technique at that time and
13 then spent some time in other physicians' offices
14 and then I started treating patients.

15 Q Do you know Dr. McDonagh personally?

16 A Yes, I do.

17 Q How long have you known him?

18 A Most of that time. Probably 13 years or so.

19 Q You mentioned EDTA chelation therapy -- my
20 mouth said it so many times today -- you use it with
21 other things?

22 A Yes.

23 Q And what sorts of things do you use it with?

24 A I use it with lifestyle counseling, diet,
25 exercise, stress reduction. I use it with

1 nutritional therapies of various kinds.

2 Q When you give EDTA, I understand that from
3 what I have learned in this case, that each doctor
4 may use a different additive or additives in the
5 EDTA chelation solution.

6 Do you have anything particular that you --
7 how do you do that?

8 A Well, I use the basic protocol and then I
9 will add a few things, depending on the patient's
10 situation. Sometimes I'll subtract things out.

11 So each patient is individualized as far as
12 what I give them.

13 Q What would be some of the typical sorts of
14 things that you would put in?

15 A Vitamin C, Magnesium, Vitamin B-12,
16 B-complex.

17 Pantothenic acid is the other one I put in.

18 Q You ever use Adenosine?

19 A Sometimes.

20 Q What do you use that for?

21 A Mainly when people have low energy.

22 Q What does Adenosine do you for you if you
23 have low energy?

24 A Well, Adenosine is a monophosphate form but
25 it can be converted to a tri-phosphate form, which

1 is the energy molecule in the cells.

2 Q Okay.

3 Would you have any idea the percentage of
4 your practice that involves EDTA chelation
5 treatment?

6 A I'd estimate about 20 percent.

7 Q Okay.

8 What do you use EDTA chelation treatment
9 for?

10 A Well, I use it for removing toxic metals, if
11 they are present, and I use it in the treatment of
12 vascular disease.

13 Q Vascular disease being?

14 A Heart disease, peripheral vascular disease,
15 cerebral vascular disease to the brain, would be the
16 most common types.

17 Q Do you ever use it to treat diabetes?

18 A I wouldn't use it to treat diabetes as such,
19 but diabetes is a disease that has vascular
20 complications, so I would be treating the vascular
21 component of it.

22 Q Okay.

23 Have you reviewed any medical records in
24 connection with this case?

25 A Yes, I have.

1 Q And did you bring those with you today?

2 A No. I don't have them down here, but --

3 Mr. Goetsch: I believe
4 I have copies of probably all the records he
5 reviewed.

6

7 BY MR. BRADFORD:

8

9 Q I'm trying to figure out a way to go through
10 this.

11 We kind of struggled with this this morning
12 on how to approach this, but I have been given a
13 list by Lori Levine of Carson & Coyle concerning
14 Dr. McDonagh and which counts of our complaint that
15 you would be -- that you would be expected to talk
16 about.

17 A Uh-hum.

18 Q And so what I would do is maybe go down each
19 count and give you a name and ask you what your
20 principal opinions might be.

21 Would that be a reasonable way to go at
22 that?

23 A As long as I have a record here to refer to,
24 sure.

25 Q Let's start with Beverly Collins, and as

1 indicated, you had some opinions on that, and that's
2 Count 1 of the complaint which was filed.

3 A Uh-hum.

4 Q You might just look and make sure that's the
5 same records.

6 I might ask you to look at the copy of the
7 complaint that's been filed here.

8 A Yes.

9 Q Have you looked at any depositions of
10 experts for the Board of Healing Arts?

11 A I glanced at one deposition that was given,
12 but I don't remember the name.

13 Q There's a Dr. Meyers and a Dr. Kyner?

14 A That doesn't strike a bell.

15 Q Are you generally -- do you feel you're
16 generally aware of complaints the Board has made in
17 that case about those various patients?

18 A I think I'm generally aware of it, yes.

19 Q Okay.

20 Count 1 basically is a Count that challenges
21 EDTA chelation, and I'm assuming that we'll get into
22 generalities about that as we go on, so maybe you
23 ought to look there at Count 2, which is, I
24 believe -- let's go to Beverly Collins.

25 That's Count 4.

1 protocols, ATM protocols, that gives this guidance
2 on how to do it?

3 A Generally speaking, I do.

4 We talked earlier about how each shot is
5 individualized and there are factors that go into
6 that.

7 Generally speaking I think it did.

8 Q And we have kind of wrestled with this, but
9 did you find any record that Miss Collins had
10 received hyperbaric oxygen treatments?

11 A I don't remember that, although, since I'm
12 not an expert on hyperbaric oxygen, I don't pay too
13 much attention.

14 Q It's your claim that you have no particular
15 expertise on that hyperbaric oxygen subject?

16 A No.

17 Q All right.

18 There's an allegation that Dr. McDonagh's
19 records were grossly inadequate and that his records
20 did not contain a complete record of history and
21 physical examination prior to the initiation of
22 therapy.

23 Did you get a chance to make a judgment on
24 that?

25 A I think that what he did is, he formed a

1 very nice problem list at the initial visit, which
2 organizes his impressions.

3 But he did not record the details of his
4 physical exam.

5 Q Do you normally do that, make a detailed
6 record of your physical findings?

7 A I make a general record of it.

8 Q Is there any record of the physical findings
9 he made?

10 A I'm afraid I can't answer that completely.
11 I can't read all of the writing.

12 Q Yeah. We had the same problem this morning.

13 When you give EDTA chelation therapy, do you
14 follow-up and check on the renal function after
15 treatment is started?

16 A Yes.

17 Q And how do you do that?

18 A Usually I get a measurement of serum
19 creatinine.

20 Q And why's it important to follow-up the
21 renal function when you start EDTA chelation
22 therapy?

23 A There have been some records in the early
24 use of therapy that you could do damage to the
25 kidneys, particularly if you are pulling lead or

1 other toxic metals out in the process of being
2 chelated.

3 Q Do you do that with all of your patients on
4 EDTA chelation therapy, follow-up the renal
5 function?

6 A I get kidney function tests. Usually the
7 creatinine, yes.

8 Q Do you have any particular -- what's the --
9 you do that every time they take the therapy or --

10 A No.

11 Q -- once in a while or what's your procedure
12 on that?

13 A Well, I think that needs to be
14 individualized, too. It depends on where they are
15 when they begin, and you're concerned about heavy
16 metal toxicity, because that's the main source of
17 risk.

18 Generally speaking, I'd want creatinine
19 levels probably every ten treatments. Sometimes
20 more frequent if there's a concern.

21 Q Do you follow-up the electrolytes once you
22 start to give EDTA chelation therapy?

23 A I usually get a electrolyte determination
24 about every ten treatments.

25 Q And what's that looking for?

1 A Well, we want to be sure that we don't draw
2 out too much of certain minerals.

3 Now, the main one that I would be concerned
4 about is potassium. Now, most of the time you don't
5 have to worry about that though because you're
6 adding potassium to the bottle of EDTA to prevent
7 that.

8 So, it's, I wouldn't say that would be
9 nearly as important as making sure the kidney
10 function is good.

11 Q Did you find any indication in Beverly
12 Collins' record that there had been a follow-up of
13 her renal function after the EDTA chelation therapy
14 was started?

15 A There's a renal function test done on
16 November 7th, and I don't see any other creatinine
17 tests.

18 Q What about electrolytes?

19 A Wait a second. I misspoke. I'm sorry.

20 Yes. There's a follow-up then on 11-22. So
21 that's after she had had several treatments and
22 there was both an electrolyte and a creatinine
23 level.

24 Q Basically, after your review of the records,
25 you feel that EDTA chelation therapy treatment for

1 Beverly Collins appropriate?

2 A Yes.

3 Q Can I ask you to look at Joseph Hoskins?

4 And is that a record you previously have
5 reviewed?

6 A Most of it. I'm not sure I saw this letter
7 here.

8 Q Which letter you talking about?

9 A From J. F. Kyner, Professor of Medicine.

10 And there's a couple other records from
11 other physicians here that don't look familiar
12 but --

13 Mr. Goetsch: It may be
14 something that got mixed in.

15 A It could be.

16

17 BY MR. BRADFORD:

18

19 Q It's hard to keep it all straight.

20 A I have reviewed about seven or eight records
21 any ways.

22 Q Is EDTA chelation therapy -- you mentioned
23 you use that for, I guess, in the course of
24 treatment of diabetes?

25 A Yes.

1 Q And for the disease itself, do you use
2 Insulin? Is that what you use?

3 A If the patient requires Insulin or I might
4 use an oral anti-diabetic, anti-glycemic agent.

5 Q Are there different kinds of diabetes?

6 A Yes, they are.

7 Q What are those kinds of diabetes?

8 A The main types are Insulin dependent and
9 non-Insulin dependent.

10 Q What's the difference in -- can you explain
11 the difference between the two?

12 A Well, Insulin dependent means that the
13 patient absolutely has to have Insulin in treating
14 his diabetes. And if he doesn't have it, he will
15 have severe complications and may go into a coma and
16 so forth.

17 A non-Insulin dependent, some of those
18 patients still may get Insulin, but they are not as
19 dependent on it. They are not -- it's not as
20 crucial for them and many of them are treated by
21 diet or medications.

22 Q Do you ever treat gangrene in your practice?

23 A Occasionally.

24 Q What is gangrene? Can you describe it?
25 Define it?

1 A Well, gangrene is when the tissue starts to
2 decay because of not enough blood supply.

3 Q Can EDTA chelation therapy reverse gangrene?

4 A Sometimes.

5 Q Have you seen that?

6 A Yes, I have.

7 Q Have you documented that in your practice?

8 A Yes, I have.

9 Q How does it reverse gangrene?

10 A By improving blood flow to the tissue that's
11 rotten.

12 Q And you don't know about hyperbaric oxygen,
13 whether that can be effective in treating gangrene,
14 I suppose?

15 A Well, I have referred it -- a couple of
16 patients for hyperbaric oxygen as an adjunctive
17 treatment, but I have never used it myself.

18 Q Can you tell me what facts you gathered from
19 Joe Hoskins' records about what happened to him?

20 A Well, Joseph Hoskins had severe vascular
21 disease. And he did have gangrene. And attempts
22 were made to treat him medically, and unfortunately
23 they were not effective and he developed sepsis and
24 was admitted to the hospital and had a very
25 protracted course of treatment in the hospital and

1 eventually had to have an amputation and intravenous
2 antibiotics and others treatments in the hospital.

3 Q Was Dr. McDonagh's treatments of
4 Mr. Hoskins, as you read through the records, was it
5 consistent with the way you would have handled it?

6 A I think so.

7 It's always hard to put yourself exactly in
8 somebody else's shoes, especially just by looking at
9 the record, but I think so.

10 Q Nothing jumped out at you that suggested you
11 might have done something differently?

12 A No.

13 Except I wouldn't have had the hyperbaric
14 oxygen readily available to me to try.

15 Q Is there a point at which gangrene becomes
16 unreversible -- irreversible?

17 A Yes, there is.

18 And that -- but it's pretty hard to define
19 by looking at it, you know, where the tissue clearly
20 demarcates between a dying section and a live
21 section. Then it's very difficult to reverse it at
22 that point.

23 Q How would that manifest itself; the
24 demarcation, or what does that look like?

25 A Well, that's what I said, it's very

1 difficult. But usually there's a distinct change in
2 the color, but you can't always tell what's going on
3 under the surface by what's on the surface and you
4 must know what you're looking at.

5 Q What sort of tests would be available for
6 somebody in a condition like this to make a
7 determination of how serious the condition was and
8 how far the disease had progressed?

9 A Well, there are tests that are done to look
10 at the arteries and blood flow.

11 There's other invasive tests to look at the
12 blood flow, the Doppler examination, for example.
13 There's dye studies to look at the arteries to see
14 where they are. But still there's not a perfect
15 test to tell exactly where that demarcation takes
16 place.

17 Q What test did Dr. McDonagh use?

18 A He used the Doppler test.

19 Q What is the Doppler test?

20 A Doppler test measures blood flow past the
21 probe and measures pulse recordings to measure
22 whether there's a pulse or how high the pulse in
23 various parts of the body.

24 Q Do you know what date he took those Doppler
25 tests on?

1 A The billing records shows that it was done
2 on the 6th of July.

3 Q And what were the results of the test?

4 A It showed severe decreased blood flow in the
5 right leg.

6 Q Is there some sort of a standard for
7 gangrene or is there some number you can look at or
8 are we just more in the subjective area on that with
9 a Doppler?

10 A I think we're more in the subjective mode.
11 You need to see the pattern of it. I think
12 some people do put numbers on it, but it's still
13 subjective.

14 Q Is there anything in Dr. McDonagh's record
15 that would suggest to you that there's a possibility
16 that Mr. Hoskins had irreversible gangrene as of the
17 time Dr. McDonagh saw him on July 6th, 1992?

18 A No.

19 Q Do you have any opinion as to when the
20 condition of gangrene advanced to the point that
21 there was going to be some amputation necessary?

22 A I think that I have an opinion to that
23 question.

24 Q What would your opinion be?

25 A The opinion is that sometimes in the, oh, 36

1 to 48-hour period surrounding his hospitalization,
2 that time did occur.

3 Q Would that be more toward July 12th than
4 July 6th; in your opinion?

5 A Yes.

6 Q What are venogram studies?

7 A Venogram studies are when you inject dye
8 into a vein and see what the blood flow pattern is
9 from the blood from the limb back to the heart.

10 Q Do you use venogram studies in your
11 practice?

12 A Rarely.

13 Q Is there any argument in your mind that this
14 is something that might have been used on
15 Mr. Hoskins to get a gauge of his condition in early
16 July of 1982?

17 A I don't think that that would have been
18 useful at all, to tell you the truth.

19 The problem was, the blood wasn't getting to
20 the foot. It's not a matter of going away so much.

21 Q What are bilateral leg pulses?

22 A Well, the pulses are the throbbing of the
23 arteries in the legs and you can feel them in
24 certain areas in the legs.

25 Q Does the bilateral before it refer to both

1 legs?

2 A Yes.

3 Q And what would bilateral leg pulse testing
4 show us?

5 A That would be like a Doppler test to detect
6 the pulse or, if it's by palpation, by physical
7 exam, you could try to detect it that way, too.

8 But it's more difficult that way if the
9 pulse is weak.

10 Q Is that equivalent to the Doppler test?

11 A Basically.

12 Q So I take it you have -- you don't see
13 anything wrong with Dr. McDonagh's treatment of
14 Mr. Hoskins?

15 A Nope.

16 Q Let's talk about Lloyd Daniel Jones.

17 And he would be Count 2 and Count 3.

18 A Uh-hum.

19 Q Specifically, let's talk about Count 3,
20 which is the treatment of Mr. Jones that occurred in
21 the '90s --

22 A Uh-hum.

23 Q -- rather than the older treatment.

24 And you reviewed those records?

25 A Yes, I have.

1 Q And you saw that Dr. McDonagh diagnosed
2 Lloyd Daniel Jones as having chronic fatigue
3 syndrome?

4 A Yes.

5 Q Are you familiar with what the criteria are
6 for diagnosing chronic fatigue syndrome?

7 A Generally speaking.

8 Q Are there any accepted criteria?

9 A Well, there are some criteria that were put
10 out by the CDC a few years ago, and they have been
11 criticized. And so it's still in a state of flux.

12 But at least it defined chronic fatigue
13 syndrome, which served a useful purpose.

14 Q What is the CDC -- Center for Disease
15 Control?

16 A Yes.

17 Well, I don't remember them all specifically
18 because I don't use them all specifically.

19 But basically it's fatigue that has been
20 persistent for six months or longer and it's
21 progressive. And then there are no other diseases
22 that may cause it.

23 Then there are several other minor criteria
24 which are -- frequent sore throats and sore lymph
25 nodes and a number of physical findings like that.

1 Q Do you believe the records demonstrate a
2 basis for a diagnosis of chronic fatigue syndrome of
3 in this gentleman as of December of 1991?

4 A Yes, I do.

5 Q And what particularly shows in the record
6 that supports that diagnosis of chronic fatigue
7 syndrome?

8 A Longstanding fatigue.

9 There are no other obvious causes, although
10 depression is co-existing with it. And there's
11 always a debate as to what comes first, the
12 depression or the fatigue.

13 And he did have a recurrent history of
14 recurrent sore throats and sore lymph nodes.

15 Q And Dr. McDonagh treated the chronic fatigue
16 syndrome with Adenosine?

17 A Yes.

18 I think I'm missing -- maybe it's out of
19 order here. I'm missing some progress notes here.

20 Yes. He used Adenosine and Vitamin B-12
21 injections.

22 Q Is Adenosine and Vitamin B-12 injections,
23 are they accepted treatments for chronic fatigue
24 syndrome?

25 A They are by physicians who specialize in a

1 complementary nutritional approach to chronic
2 fatigue, yes.

3 Q So apparently there's more than one school
4 of thought as to how to approach chronic fatigue
5 syndrome?

6 A That's right.

7 In fact, there are no accepted protocols for
8 the treatment of chronic fatigue syndrome. Even in
9 the medical schools, it varies from place-to-place
10 on what they use for treatment.

11 Q What would be the alternative to Adenosine
12 and Vitamin B-12 that somebody might say would be a
13 useful treatment?

14 A In many centers, they basically treat people
15 for depression to help them cope with their
16 symptoms, and in the hopes that that might be a
17 primary cause for it.

18 And that's probably the biggest treatment
19 that is used for chronic fatigue.

20 Many homeopathic physicians, who are relying
21 on medications, there aren't any broadly used
22 medications that are used for chronic fatigue other
23 than that, that I'm aware of.

24 Q And I, again, I'm not sure what that has to
25 do with our discussion about what does Adenosine --

1 was there a particular form of Adenosine that was
2 used in this particular case with Lloyd Daniel
3 Jones?

4 I think you mentioned it a while back.

5 A My impression is that it was an Adenosine
6 monophosphate.

7 Q Is that specifically recorded anywhere or
8 are we just -- is that just what you suspect he did
9 because that's what most people do?

10 A That's what would be the mode of the
11 administration and the dose that would be
12 appropriate; if you're using the medication
13 Adenosine. You would use that intravenously in
14 different doses.

15 Q So there's really two Adenosines?

16 A Yes.

17 Q And one is Adenosine monophosphate?

18 A Yes.

19 Q And one is plain old Adenosine?

20 A Adenosine tri-phosphate.

21 Q What would Adenosine tri-phosphate be
22 appropriately used to treat?

23 A Well, the Adenosine that is used for
24 treating arrhythmias in the emergency room was just
25 approved in the last couple of years, and it's

1 usually used as an intravenous shot to treat cardiac
2 rhythm problems.

3 Q So again, you have no criticism of
4 Dr. McDonagh's treatment of Lloyd Daniel Jones in
5 December of 1991, August to December, 1991?

6 A No. I do not.

7 Q Count 7. Ruby Triggs. You got her records
8 handy?

9 Mr. Goetsch: Yes. One
10 second, please.

11

12 BY MR. BRADFORD:

13

14 Q We can almost summarize.

15 There's Count 7, Count 8, Count 9, Count 10,
16 County 11, Count 12, the fundamental complaint I
17 believe that your expert is making is that
18 Dr. McDonagh did a whole raft of tests and our
19 consultant didn't feel that they were all justified
20 and appropriate.

21 So can we look at Ruby Triggs and the tests
22 that she got?

23 She got an cytotoxic food allergy test.

24 A Yes.

25 Q Do you use that test?

1 A I don't use it any more. I used to use it
2 in the past.

3 Q What did you used to use it for?

4 A Looking for food allergies and
5 sensitivities.

6 Q Why did you stop using it?

7 A I found a better -- a test that I felt more
8 comfortable with, and the patient got reimbursed
9 better for it.

10 Q Which is what?

11 A I use -- actually it's two tests, the Alcott
12 test and the Eliza test.

13 Q What do those two tests do?

14 A They are looking at immunoglobulins that
15 correspond to food allergies and sensitivities.

16 Q When did you stop using the cytotoxic food
17 allergy test?

18 A Maybe six years ago.

19 Q The bone density test.

20 Are you familiar with that?

21 A I'm familiar with it. I don't use it.

22 Q Have you ever used it?

23 A I have ordered it for other people to do or
24 for the hospital to do, but I have never used it in
25 my office.

1 Q Do you know what it's intended to measure?

2 A Yes.

3 Q Which is what?

4 A Bone density.

5 Q Why don't you use it?

6 Is there any particular reason?

7 A I didn't want to go through the
8 certification and the expense for handling
9 radioactive materials.

10 Q Is that an expensive test?

11 A I think it costs between 100 and \$200.

12 It's cheaper than a CAT scan, which is the
13 alternative, to measure bone density.

14 Q Are there any particular indications that
15 would suggest that a bone density test would be
16 something that should be done?

17 A Yes.

18 She gives a history of arthritis for 20
19 years and she's 69 years of age and female. Those
20 are pretty good indications to find out her risk for
21 osteoporosis, plus she was on Voltaren, which is a
22 medication that can cause osteoporosis.

23 Q Is there an alternative when that situation
24 is present to a bone density test?

25 A A CAT scan can be used.

1 Q Is it -- what are the advantages or
2 disadvantages of a CAT scan as opposed to a bone
3 density test?

4 A I think it's whatever the hospital and the
5 radiologists are comfortable in using and whatever
6 reimbursement they think they'll get, which would be
7 better.

8 Some hospitals and facilities use one, some
9 use another.

10 Q Dr. McDonagh did a pulmonary function test
11 on that lady.

12 Are you familiar with that test?

13 A Yes.

14 Q Do you routinely give that test to all your
15 patients?

16 A No.

17 Q Are there -- what would be the indications,
18 in your practice, which you would use a pulmonary
19 function test?

20 A Shortness of breath and pulmonary disease.

21 Q Did this lady have any of those?

22 A Yes, she did.

23 And her Master's test, she complained of
24 shortness of breath and it was observed by the
25 person who ran the test.

1 Q Dr. McDonagh gave her what's described as
2 Heidelberg pH gastograph.

3 Are you familiar with that test?

4 A Yes.

5 Q Do you use that test?

6 A Yes, I do.

7 Q What is that test designed to measure?

8 A That test measures the pH in the stomach and
9 the first part of the intestine to see if the
10 patient has enough stomach acid to secreted to
11 function properly.

12 Q Do you use that as a routine test for
13 everybody?

14 A No.

15 Q What indications would suggest that that
16 test should be done?

17 A If you suspected food allergies.

18 It's commonly a problem in arthritis
19 patients if there are digestive complaints of
20 various kinds. That would be an appropriate --

21 Q A hair analysis was done.

22 Are you familiar with that test?

23 A Yes.

24 Q Do you use that test?

25 A Sometimes.

1 Q What does that test tell us?

2 A It's a screening test for toxic metals and
3 also some trace minerals and other minerals that are
4 present in the body.

5 Q Would that be a test that you would give to
6 everybody or would that be one that would be
7 particularized for a particular patient?

8 A A physician who does chelation therapy needs
9 to do some kind of mineral screening.

10 Now, sometimes that's in the hair, that's in
11 the urine, sometimes in the blood.

12 I don't do it on every patient, but a lot of
13 physicians who do chelation therapy might do it in
14 every patient who gets chelation therapy.

15 Q Do you use something as an alternative to --

16 A I frequently use urine minerals. That would
17 be the most common one I use.

18 Q Dr. McDonagh gave a hemoglobin a1c test.

19 Are you familiar that test?

20 A Yes.

21 Q What does that test measure?

22 A It's measures the blood sugar control over
23 the previous two to three months. So it gives you
24 an idea whether somebody's blood sugar has been
25 generally running high or if it's been running in

1 the normal range.

2 Q Would that be a test you would give to
3 everybody?

4 A I wouldn't give it to everybody, no.

5 Q What would be the indications for the
6 hemoglobin a1c test?

7 A Well, the clearest indication would be if
8 someone was a diabetic and you wanted to assess the
9 control of their blood sugar.

10 Q Was this lady a diabetic?

11 A She was not a diabetic, but her blood sugar
12 was in the high/normal range.

13 Q Would it be in the range that you would
14 feel, in your practice, it would be appropriate to
15 run the hemoglobin a1c test?

16 A It would.

17 I may well want to do that, because with
18 chelation, sometimes you see some fluctuation in the
19 blood sugars and it would be a good thing to have
20 that as a base line and maybe repeat it as something
21 that is suggested as an alternative in the protocol
22 that can be used to.

23 Q Did this lady give any specific heart or
24 vascular complaints when she came to see
25 Dr. McDonagh?

1 A She gives a long history of hypertension.
2 She gives a history of taking cardiac medications
3 that are for vascular disease.

4 Q Okay.

5 And you have no criticism of Dr. McDonagh's
6 treatment of Ruby Triggs?

7 A No.

8 Q Geraldine Hamlin.

9 Did you review those records?

10 A Yes.

11 Q And what were this lady's complaints when
12 she came to see Dr. McDonagh?

13 A Well, she had coronary artery disease,
14 history of angioplasties or surgeries. They had
15 tried to open up her arteries in the past. She had
16 shortness of breath, chest tightness, had some
17 digestive problems, a hiatal hernia, diverticulosis.

18 Q Dr. McDonagh gave her a metabolic
19 intolerance test.

20 Are you familiar with that test?

21 A Yes.

22 Q What's that test?

23 A That test is essentially the same as a
24 cytotoxic test. That's a test for food allergies.

25 Q And he gave her a boreoembryonic antigen

1 test.

2 Are you familiar with that test?

3 A I don't think you have that quite right.

4 Where's that?

5 Q You see b-o-r-e-o-e-m-b-r-y-o-n-i-c antigen
6 test in there someplace?

7 A C-o-r -- that would be -- which would be a
8 test that's used for the spread of cancer.

9 Q Would it be coreoembryonic instead of
10 boreoembryonic?

11 A That would be something that would make more
12 sense to me, but I don't see it on here. If you
13 could point it out to me, I could try to decipher
14 it.

15 Q Are you familiar with the use of
16 staph lysate as a treatment?

17 A Yes, I am.

18 Q What's that used for?

19 A Staph lysate is used to help the immune
20 system. It stimulates the immune system to function
21 at a higher level.

22 Q Do you use that in your practice?

23 A I don't right now because it's not
24 available, but I have in the past.

25 Q Why's it not available?

1 A The FDA made some requirements on the
2 manufacturer and the manufacturer couldn't sell it
3 until they met that requirement, and I'm not sure
4 exactly what that requirement was. I got a notice
5 on it, and they said they expected it to be
6 available in the near future, but I don't know the
7 details on that.

8 I'm sorry.

9 Q Dr. McDonagh gave this lady 10 injections of
10 4 CCs intramuscular gamma globulin.

11 A Uh-hum.

12 Q Are you familiar with gamma globulin?

13 A Yes.

14 Q That's a used for?

15 A Once again, it's to try to improve the
16 immune system.

17 Q What would be the indications of gamma
18 globulin?

19 A Mostly frequent, recurrent infections.
20 Sometimes it is used for, in high doses, for chronic
21 fatigue.

22 Q Do you see any indications in this lady's
23 record that would support treatment with gamma
24 globulin injections?

25 A I'm trying to find the page where they are

1 given here.

2 Q I have it on April 6th, 1987, through May
3 18th, 1987.

4 A Thank you.

5 Yes.

6 She had problems with diverticulitis.

7 I can't read the whole note here, but
8 diverticulitis, I think is the infection which she
9 had given a history when she first came in that she
10 had had problems with that.

11 So I'm not sure, but that certainly would be
12 one reason why he might have given it.

13 Q On December 18th of '87, the record shows he
14 gave this patient 20 grams of intravenous Vitamin C.

15 Do you see that in the records?

16 A December when?

17 Q December 17th of 1987?

18 A Yes.

19 Q And what would be the indications for
20 treatment with intravenous Vitamin C?

21 A Vitamin C is an antioxidant and it helps in
22 the resistance of infections, and it can help quiet
23 inflammation.

24 So it might be, and it also can help to
25 treat vascular disease to a certain extent.

1 Q How does it work on that?

2 A As an antioxidant.

3 Q Can you explain how that works?

4 A Well, briefly, some of the damage that is
5 done can lead to plaque formation in the arteries is
6 simulated by free radical reactions in the body,
7 which are tiny reactions that occur very rapidly and
8 can cause damage.

9 And the antioxidants in the body are the
10 body's protective mechanism to make sure that that
11 doesn't happen too much.

12 If you have a lot of free radical activity
13 going on, then you can get the development of
14 chronic degenerative disease, and you use up the
15 available antioxidants so the body doesn't have a
16 defense to continue.

17 So if you give additional antioxidants, then
18 it helps the protective mechanism.

19 Q Okay.

20 Looks like Count 9, Tom Gerity.

21 Did you review these records?

22 A Yes, I did.

23 Q And what was this man's problem when he came
24 in to see Dr. McDonagh?

25 A He had chest pain after physical activity.

1 He had a history of angioplasty. And it states that
2 he was concerned about avoiding bypass surgery.

3 Q What test did Dr. McDonagh --

4 A He did an ultrasound study of the carotids.

5 Q What did that show, if anything?

6 A Well, it's difficult for me to read it
7 because my copy doesn't come through very well, but
8 I believe it shows some plaque formation there and
9 I'm not familiar with the notations on the
10 measurements here, so --

11 Q What other tests did he give him?

12 A He did an EKG, and he did some blood
13 testing. He did a Doppler test of the extremities.

14 Q What did that test show?

15 A This was to be sure -- I'd have to know the
16 base readings on the machine, but it didn't -- there
17 were no severe abnormalities in the lower limb.

18 Q Was there ever an electrocardiogram done;
19 did you say?

20 A Yes.

21 Q What did that show, if anything?

22 A Showed premature beats, complete left bundle
23 branch block and sinus brachycardia or slow rate.

24 Q Left bundle branch block or right bundle
25 branch block?

1 A It says left on the impression.

2 Q And what was the treatment that Dr. McDonagh
3 ordered up for this patient?

4 A Well, he gave him chelation therapy.

5 Q Did it help him?

6 A Well, there was an improvement in blood flow
7 in his extremities.

8 Q And how is that demonstrated?

9 A By higher blood pressure readings in his
10 lower limb.

11 Q What were the old ones and what were the new
12 ones?

13 A Well, let's see. Here's one done in
14 9-18-91. The right. I have got three readings here
15 in front of me. And, for example, the dorsalis
16 pedis artery in the top of the foot. Blood pressure
17 reading when he started was 169. That was in
18 September of 1991. In May of 1992, it was 178. And
19 in April of 1993, it was 211.

20 So that kept increasing.

21 Q That's good?

22 A That's good.

23 Q Let's take a look at Count 10, Lucille
24 McCarty.

25 What were this lady's complaints when she

1 came to see Dr. McDonagh?

2 A I'm sorry these are out of order here.

3 I'll rearrange them here.

4

5 WHEREUPON, a short recess was taken at this
6 time.

7

8 Q Her complaints?

9 A Looks like her first visit she complained of
10 low blood sugar, low hormones, nervous condition.

11 Q How would a patient know she had low blood
12 sugar and low hormones?

13 Is that what she said?

14 A I don't know. I'm just interpreting what's
15 on the report. There are no quotation marks there.
16 I don't know.

17 But sometimes you can hear that because a
18 lot of physicians who use complementary treatments
19 have patients that have been to many other doctors
20 before and had unsuccessful treatments, so --

21 Q They are reporting what that history is?

22 A Yes.

23 Q And Dr. McDonagh gave her a number of tests?

24 A Yes.

25 Q It was reported by our consultants that

1 Dr. McDonagh began her thyroid 2-grain on September
2 27th, 1978.

3 Did you see that in the records?

4 A On December 27th?

5 Q I have got September 27th of 1987.

6 A Didn't hear that right.

7 Yes.

8 Q Why would you give somebody that?

9 A Many people complain of fatigue and
10 inability to tolerate different temperatures.
11 Oftentimes they'll have thyroid problems.

12 Q Do you see any indication at the time that
13 treatment was begun that there was any evidence of a
14 thyroid problem?

15 A Her basal body temperature, which was 96,
16 which was extremely low, and that oftentimes goes
17 with low metabolism and low thyroid.

18 Q Could that be a result of anything else?

19 A It's possible, but that's by far the most
20 common cause.

21 Q Did Dr. McDonagh -- or are there any tests
22 you can perform of thyroid functioning?

23 A Yes.

24 Q Did Dr. McDonagh do any of those tests?

25 A Here's a test that was done on 11-1-1988.

1 Q What does it show?

2 A Shows that the blood hormone level is in the
3 normal range.

4 Q Any testing before 1988 of the thyroid
5 function?

6 A Yes.

7 Here's one on November 1987.

8 Q What did it show?

9 A It showed the T-4 was normal, but it was in
10 the lower part of normal. ~~██████████~~

11 Q Is there any record of any testing between
12 September 27th of 1978, and November of 1987, of the
13 thyroid function?

14 A I don't see any other blood tests --

15 Q Okay.

16 A -- of the thyroid function.

17 Q Did this lady have any circulatory problems
18 when she came to see Dr. McDonagh or at any time in
19 his treatment of her?

20 A I didn't -- in October, 1987, it refers to a
21 carotid artery disease, especially the internal
22 carotid artery, and history of where she passed out
23 in her bedroom.

24 Q So was a check made of the carotid artery in
25 1987 or a test done on that?

1 A Along about the same date there's a Doppler
2 test of her legs, and there's a reference to the OPG
3 test was not able to be done because the machine
4 malfunctioned.

5 Q What's OPG?

6 A Now, that's a test around the eye to measure
7 the blood flow around the eye.

8 And there isn't -- I don't see a complete
9 report on the Doppler or ultrasound of the carotids
10 in the record, but it does refer to it in the
11 progress note.

12 Q What does it say specifically in the
13 progress note?

14 A It says vessel tests results with doctor,
15 colon, right carotid artery disease, especially
16 internal carotid artery.

17 Q But there's no specific result of the test
18 in the records?

19 Report of it, I guess?

20 A I couldn't find a written report of it.

21 You don't always do a written report either.
22 Sometimes you might just listen to the carotid
23 artery with the Doppler machine with the ultrasound.

24 Q What is the Doppler?

25 A It measures the flow of the blood past the

1 probe, the Doppler does.

2 Q How does it do that?

3 A By bouncing sound waves into the body.

4 Q Like sonar?

5 A Yeah.

6 Q Do you use the Doppler in your practice?

7 A Yes.

8 Q Let's take a look just finally at Donald
9 Starckenburg.

10 That's Count 11.

11 What was his problem when he came to see
12 Dr. McDonagh?

13 A His No. 1 complaint was loss of memory.

14 It occurred apparently quite suddenly on a
15 couple of occasions.

16 Q And what did Dr. McDonagh do to check out
17 that complaint?

18 A Well, he did a Master 2-step EKG.

19 Q And what was the result of that?

20 A Showed patient became short of breath, that
21 the blood pressure was unstable. It actually went
22 down during the test.

23 Q Is that normal?

24 A That's an abnormal result, although it does
25 note that he was on medication that could affect

1 that to a certain degree.

2 Q And what was that medication?

3 A Tenormin.

4 Q And what is that medication for?

5 A Blood pressure.

6 Q Any other results of his initial testing
7 with regard to the loss of memory complaint?

8 A Yes.

9 Here's a Doppler test of his carotid artery.

10 Q And what does that show?

11 A Shows poor flow in the right common carotid
12 artery.

13 Q That reduced to numbers?

14 A I don't see any numbers here.

15 Q Okay.

16 Dr. McDonagh gave him a number of other
17 tests?

18 A Yes.

19 Q I have in the record he gave hemoglobin alc
20 a number of times.

21 Do you see that in the record?

22 A Well, there's one report, yes. There's
23 several reports of a hemoglobin.

24 Q What do they show?

25 A They show a mild elevation. It's an

1 abnormal result.

2 Q And was that -- does that show that there's
3 a possible problem with diabetes?

4 A You don't diagnose diabetes with a
5 hemoglobin a1c, but it is a way to monitor a person
6 who has diabetes and that would be consistent with
7 somebody who has a very mild form of diabetes.

8 Q Did Dr. McDonagh diagnose him as having
9 diabetes?

10 A I don't see a diagnosis of diabetes.

11 Q Okay.

12 Did he give him any treatment with diabetes?

13 A No. I wouldn't expect it.

14 Q Is it normal to give that test so many
15 different times if there's essentially normal
16 findings?

17 A It wouldn't be a normal finding. There
18 were -- there's also one blood sugar that was up in
19 the 150 range, 153.

20 Apparently this patient sometimes ran high
21 blood sugars and he's trying to determine if this
22 was -- if he was keeping it down in the normal range
23 on a more consistent level. When you get a blood
24 sugar, all you're seeing is what it is at that
25 instance in time, but a glyco-hemoglobin gives a

1 result over a longer period of time. So it's a good
2 way to find out if the blood sugar has been
3 relatively normal over that period of time.

4 Q Okay.

5 Let's look at one more patient and we'll
6 call it a night.

7 James Crimmings. And you've reviewed those
8 records?

9 A Yes.

10 Q What was his complaint when he came to see
11 Dr. McDonagh?

12 A He was light-headed and he says his pulse
13 was fast and he had a strong family history of heart
14 disease. Had some balance trouble, some balance
15 trouble.

16 Q Did Dr. McDonagh attempt to diagnose when
17 she had heart or circulatory problems?

18 A Well, in the history he gave a history of
19 being post-bypass. So obviously he had heart
20 problems. And he was also on cardiac medication.

21 Q Okay.

22 Did Dr. McDonagh perform any tests to
23 evaluate the degree or extent of his problems?

24 A He did a Master's 2-step test.

25 Q And, again, what is that?

1 A It's a test where you do an EKG and you
2 can -- you monitor the EKG while you put the patient
3 through some exercise.

4 In this case you're stepping up onto a --

5 Q What did that test show?

6 A There's a significant drop in blood pressure
7 as exercise is increased.

8 Q I think we talked about that before, but
9 remind me what that indicates.

10 A It means that the heart can't keep up with
11 the demand of the exercise placed on it. You'd
12 expect the blood pressure to continue to rise as
13 there's more exercise.

14 So it shows that the heart is not
15 functioning the way it should.

16 Q Are there any tests by Dr. McDonagh to
17 determine the degree or extent of his circulatory
18 problems?

19 A Well, he did a resting EKG.

20 Q And what was the result of that test?

21 A It showed left axis deviation.

22 Q Which means what?

23 A It means that the heart is twisted on its
24 axis, which usually indicates some strain on the
25 heart.

1 Q Is there anything you can do about that?

2 A You can try to help it function better.

3 In fact, he was taking medication to help
4 that. That was Digoxin.

5 And another thing you can do is give
6 chelation therapy, which may improve that.

7 Q And did Dr. McDonagh give this man chelation
8 therapy?

9 A Do you want me to finish about the testing?

10 Q Yeah. I'm sorry.

11 A Because he did additional tests.

12 Q Okay.

13 I lost my train of thought. I'm tired.

14 He also did some Doppler testing here.

15 And what did that show?

16 A Once again, I'm not familiar with his
17 specific machine. I think there's a slight decrease
18 there in the carotid vessels, but I would have to
19 get more information about his machine.

20 There's some decrease in the upper
21 extremities of blood flow.

22 Q As demonstrated by the -- is that a Doppler?

23 A Doppler.

24 Q He did an ultrasound test of the carotid
25 arteries.

1 What did that show? Can you tell?

2 A It looks like there is a partial occlusion
3 in both arteries.

4 A I mean both sides of the neck.

5 Q Okay.

6 And he gave him EDTA chelation therapy?

7 A Yes, he did.

8 Q And did it help?

9 A I'm looking at the Master's 2-step test,
10 which was done originally on 7-13-92, and was very
11 abnormal. And comparing that to the August 4th,
12 1993, test where the test was repeated, and the
13 patient went considerably longer on the test and his
14 blood pressure response was much better.

15 Although he continued to have a drop in
16 blood pressure, it was definitely an improved test
17 from previous.

18 Q Okay.

19 Let me ask you just a couple of formal
20 questions, and I mean no insult by these. I'm sure
21 that you haven't ever been convicted of a felony or
22 a misdemeanor.

23 A No.

24 Q I'm sure you haven't ever been disciplined
25 by any state board of healing arts or medical board?

1 A No.

2 Q Have you ever been sued for malpractice
3 where chelation therapy was an issue in your
4 treatment?

5 A No.

6 Mr. Bradford: Okay.

7 Thanks. That's all I have got.

8 I appreciate it. Thank you.

9 I think I have a pretty good picture of your
10 testimony.

11 Mr. Goetsch: Is there
12 anything you need to follow-up on?

13 Mr. Bradford:

14 Expedited, since our trial date -- you want
15 to read it?

16 Mr. Goetsch: I
17 recommend that you don't waive. Don't waive
18 signature.

19 Dr. Chappell: I don't
20 waive.

21 Mr. Bradford: Like we
22 agreed to this morning, he can read and sign it, and
23 if it's not signed by the time of trial, it can be
24 used as if signed, which is kind of our standard
25 agreement?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Mr. Goetsch: That will

work.

- - -

WHEREUPON, the deposition was concluded at

7:45 p.m.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, L. TERRY CHAPPELL, M.D., have had
submitted to me and have examined and read the
foregoing transcript of my testimony taken on the
aforesaid date, and acknowledge same by affixing my
signature hereto at Bluffton, Ohio, on this _____
day of _____, 1996.

L. TERRY CHAPPELL, M.D.

SWORN to before me this _____ day of
_____, 1996.

Notary Public
State of Ohio

MY COMMISSION EXPIRES:

1 STATE OF OHIO :
2 COUNTY OF HANCOCK : ss.

3 I, Pamela L. Lather, a Notary Public in and
4 for the State of Ohio and a Registered Professional
5 Reporter, duly commissioned and qualified, do hereby
6 certify that the witness herein, L. TERRY CHAPPELL,
7 M.D., was duly sworn by me to testify to the truth,
8 the whole truth, and nothing but the truth; that the
9 deposition was reduced to writing at my direction;
10 and that it was taken at the time and place
11 specified in the caption page; and that the
12 deposition was submitted and examined by the witness
13 and thereupon the witness signed the deposition.

14 I do further certify that I am not a
15 relative, counsel, attorney or employee of either
16 party herein or otherwise interested in the outcome
17 of this action.

18 IN WITNESS WHEREOF, I have hereunto set my
19 hand and affixed my Seal of Office at Findlay, Ohio,
20 on this _____ day of _____, 1996.

21
22 _____
23 Pamela L. Lather
24 Registered Professional
Reporter
Findlay, Ohio

25 MY COMMISSION EXPIRES 11/13/99.