

COPY

FIRST JUDICIAL DISTRICT COURT
COUNTY OF SANTA FE
STATE OF NEW MEXICO
ARTHUR FIRSTENBURG,

Plaintiff,

vs. Case No. D-0101-CV-2010-00029

RAPHAELA MONRIBOT
and ROBIN LEITH,

Defendant.

DEPOSITION OF RAYMOND SINGER

May 18, 2012

9:15 a.m.

Bean & Associates, Inc.
119 E. Marcy Street, Suite 110
Santa Fe, New Mexico 87501

PURSUANT TO THE NEW MEXICO RULES OF CIVIL
PROCEDURE, this deposition was:

TAKEN BY: JOSEPH L. ROMERO, ESQ.

Attorney for the Defendant Raphaela
Monribot

REPORTED BY: Jan A. Williams, RPR, NM CCR 14

Bean & Associates, Inc.
Professional Court Reporting Service
201 Third Street, Northwest, Suite 1630
Albuquerque, New Mexico 87102

(4246K) JAW

2

4

APPEARANCES

For the Plaintiff:

LINDSAY A. LOVEJOY, JR., ESQ.
LAW OFFICE OF LINDSAY A. LOVEJOY, JR.
3600 Cerrillos Road, Suite 1001A
Santa Fe, New Mexico 87507
505-983-1800
lindsay@lindsaylovejoy.com

For the Defendant Raphaela Monribot:

JOSEPH L. ROMERO, ESQ.
JOSEPH L. ROMERO, TRIAL LAWYER, LLC
9 Alcalde Loop
Santa Fe, New Mexico 87508
505-466-0279
jlr.trial.lawyer@gmail.com

For the Defendant Robin Leith:

ANN L. KEITH, ESQ.
STIFF, KEITH & GARCIA, LLC
400 Gold Avenue, S.W., Suite 1300W
Albuquerque, New Mexico 87102
505-243-5755
akeith@stiffllaw.com

Also present:

HERMAN STAUDENMAYER (via telephone)

RAYMOND SINGER,

after having been first duly sworn under oath,
was questioned and testified as follows:

EXAMINATION

BY MR. ROMERO:

Q. Can you please state your name for the record.

A. Raymond Singer.

Q. And do you go by Dr. Singer?

A. Yes.

Q. Okay. My name is Joseph Romero, I'm an attorney, and I represent Raphaela Monribot, one of the defendants in this case.

I'll mark this as an exhibit, and I'll call it Singer No. 2. And this is a copy of the check draft that I just gave you for your deposition fee. (Singer Exhibit No. 2 marked.)

BY MR. ROMERO:

Q. I only have one copy so I'll just show it to opposing counsel. Is that a copy of the draft?

A. Yeah.

Q. Okay.

MR. LOVEJOY: What was Exhibit 1?

MR. ROMERO: Exhibit 1 was the Amended Notice of Deposition that we entered as an exhibit yesterday.

3

5

INDEX

PAGE

EXAMINATION OF RAYMOND SINGER	
BY JOSEPH L. ROMERO, ESQ.	4, 212
BY ANN L. KEITH, ESQ.	210
CERTIFICATE OF DEPOSITION	214
WITNESS SIGNATURE/CORRECTION PAGE	216

EXHIBITS MARKED OR FORMALLY IDENTIFIED

NUMBER	
2 - Copy of check, 3/23/12	4
3 - Vita: Raymond Singer, Ph.D.	5
4 - Raymond Singer, Ph.D., "Forensic Neuropsychological and Neurobehavioral Toxicity Assessment of Arthur Firstenberg Regarding Potential Microwave Radiation Sensitivity and Toxicity"	23
5 - Affidavit of Raymond M. Singer, Ph.D.	25
6 - Raymond Singer, Ph.D., "Microwave Radiation Neurotoxicity: Report in Preparation"	49
7 - Memo, 4/28/11, with attachments	88
8 - WAIS-III, 7/9/10, with miscellaneous documents	89
9 - Herman Staudenmayer, Ph.D., Psychological Assessment of Mr. Arthur Firstenberg	186
10 - Staudenmayer's report	187

BY MR. ROMERO:

Q. Dr. Singer, can you tell us something about your education.

A. Sure.

(Singer Exhibit No. 3 marked.)

BY MR. ROMERO:

Q. And I'll go ahead and give you a copy of your CV and you can just go ahead and refer to that.

A. I have a Bachelor of Arts degree from the University of Rochester which was awarded in 1972, a Master's of Science degree awarded from Washington State University in 1975, a Doctor of Philosophy degree awarded from Washington State University in 1978.

Following that I went to New York City, where I served as a postdoctoral fellow in biological psychiatry, awarded from the National Institutes of Health. This took place at New York University Medical Center in New York City in 1978.

In 1979 I moved to the Mount Sinai School of Medicine, where I was a fellow under the National Institutes of Health Environmental Health Sciences, a postdoctoral fellow in environment epidemiology.

This is where I gained my experience in epidemiology, toxicology, and also continued my

6

8

1 studies of neuropsychology. Following that I was a
2 fellow at Mount Sinai School of Medicine for one
3 additional year on similar topics.

4 **Q. Okay. Dr. Singer, do you have any board
5 certifications?**

6 A. Yes. I'm board certified in professional
7 neuropsychology with added forensic specialization.

8 **Q. Are you a member of any professional
9 associations?**

10 A. Yes. I am a member of the Society of
11 Toxicology, I'm a full member. I've been a member for
12 about 25 years. And I am a member of the Roundtable
13 of Toxicology Consultants. I've been an officer in
14 both of those organizations. I'm currently an officer
15 in the Society of Toxicology for two specialty
16 sections.

17 I am a member of the National Academy of
18 Neuropsychology, the International Neurotoxicology
19 Association, the American Psychological Association,
20 and the American Academy of Clinical Toxicology.

21 **Q. What states are you licensed to practice in?**

22 A. New Mexico and New York.

23 **Q. Okay. And is the New York license current?**

24 A. Yes.

25 **Q. And I'm assuming the New Mexico one as well?**

7

9

1 A. Yes.

2 **Q. Okay. Are you a medical doctor?**

3 A. No.

4 **Q. Will you be giving any medical opinions in
5 this case?**

6 A. I will be giving opinions that overlap some
7 aspects of medicine; for example, in toxicology and
8 neuropsychology.

9 **Q. How long have you been a practicing
10 psychologist?**

11 A. I believe I was licensed in New York in the
12 early eighties. And I've been practicing since then.

13 **Q. Okay. Are you a neuropsychologist?**

14 A. Yes.

15 **Q. What type of specialty training do you need
16 to become a neuropsychologist?**

17 A. The specialty training would include studies
18 of neuropsychological assessment approaches,
19 statistics, psychology. I was supervised by a
20 neuropsychologist in my training at Mount Sinai School
21 of Medicine.

22 **Q. How does a neuropsychologist differ from a
23 neurologist?**

24 A. A neuropsychologist and a neurologist often
25 study the same subject matter or maybe treat the same

1 patients and do diagnoses. The neuropsychologist is
2 emphasizing the behavioral or psychological aspects of
3 neurological processes.

4 **Q. Okay. And how does that differ from a
5 neurologist?**

6 A. A neurologist is a medical doctor that
7 specializes in treating neurological conditions with
8 drugs and surgery.

9 **Q. So there's some overlap between a neurologist
10 and a neuropsychologist?**

11 A. Yes.

12 **Q. And there are some areas in the practice
13 where they differ?**

14 A. Yes.

15 **Q. Okay. I know you said you're not a
16 neurologist. Are you a board certified neurologist?**

17 A. No.

18 **Q. I just have to go down the script, sir.**

19 A. Sure. No, I'm not.

20 **Q. How long have you been a neurotoxicologist?**

21 A. I've been studying neurotoxicology since
22 1978.

23 **Q. Okay. And what specialty training is
24 required to become a neurotoxicologist?**

25 A. The specialty training required would be

1 study of the field of neurotoxicology.

2 **Q. Okay. How does a neurotoxicologist differ
3 from a toxicologist?**

4 A. The neurotoxicologist specializes in studying
5 the effects of toxic chemicals on the nervous system.

6 **Q. Okay. And a toxicologist studies other human
7 systems in the body?**

8 A. Yes. Well, toxicologists can also have some
9 studies of neurotoxicology. But they don't specialize
10 in that and they will work with all body systems.

11 **Q. Is toxicology a separate field?**

12 A. From?

13 MR. LOVEJOY: From what?

14 BY MR. ROMERO:

15 **Q. From neurotoxicology. Are they different
16 disciplines?**

17 A. I think that the disciplines are overlapping.

18 **Q. But in some respects there is no overlap and
19 they are separate?**

20 A. They can be seen as separate.

21 **Q. Okay. Do you consider yourself to be a
22 toxicologist?**

23 A. Yes.

24 **Q. Okay. And what education and training does a
25 toxicologist need?**

10

1 A. Education and training in toxicology and then
2 also the way that they apply toxicology. So some
3 study epidemiology, some study, you know, in my case
4 neuropsychology. There are so many different branches
5 of toxicology, it could be chemical toxicology,
6 physical. So it gets very -- it's a very broad field.

7 **Q. Okay. Do you have a degree in toxicology?**

8 A. No.

9 **Q. Okay. Do you have a degree that says on the
10 piece of paper that you're conferred a degree in
11 neurotoxicology?**

12 A. No.

13 **Q. Do you have a degree that says a diploma is
14 awarded for a degree in the field of neuropsychology?**

15 A. I have a diploma from the American College of
16 Professional Neuropsychology. And that says
17 neuropsychology.

18 **Q. Was that a medical school?**

19 A. No. That's a professional organization of
20 neuropsychologists.

21 **Q. Did you have to take any courses to get this
22 degree?**

23 A. Well, yes. Not with them, but I had to take
24 many courses to get that degree.

25 **Q. Okay. But you said this degree comes from a**

11

1 **professional organization?**

2 A. Correct.

3 **Q. And not from an educational institution, like
4 a university?**

5 A. It's not a university.

6 **Q. Okay. What is electromagnetic intolerance?**

7 A. That describes a person who has symptoms when
8 they're exposed to an excessive amount of
9 electromagnetic radiation, an excessive amount for
10 them.

11 **Q. Just for simplicity we'll call this EMI,
12 electromagnetic intolerance. Is EMI the same thing as
13 electromagnetic sensitivity?**

14 A. I think so.

15 **Q. Okay. We'll call electromagnetic sensitivity
16 EMS. And I might use these acronyms interchangeably.
17 But as far as you're concerned, both acronyms describe
18 the same condition?**

19 A. Yes.

20 **Q. Okay. What training or experience do you
21 have with EMI?**

22 A. My training and experience would be the
23 training and experience that I have received as a
24 psychologist, which I've described -- at least I've
25 outlined. And my training and experience and in

12

1 biological psychiatry at NYU, although this topic was
2 not specifically addressed.

3 And my training and experience in Mount Sinai
4 School of Medicine, where I was trained and studied
5 and conducted research in evaluating the effects of
6 neurotoxic agents. Electromagnetic radiation can be a
7 neurotoxic agent.

8 **Q. Okay. When you were attending these academic
9 programs, was the term EMI or the term EMS used?**

10 A. Not in the programs up to my studies at
11 Mount Sinai. And I don't -- I don't recall that term
12 being used, although we did -- we did consider
13 electrical radiation as well as other types of
14 radiation and its effects on the nervous system.

15 **Q. I have a feeling that EMI/EMS, this became a
16 term of art after your education?**

17 A. I believe so.

18 **Q. Okay. And I'm getting the sense that your
19 academic training had like set forth principles that
20 are applicable to EMI/EMS; is that correct?**

21 A. Yes.

22 **Q. Although EMI/EMS was not specifically
23 discussed when you were getting these degrees?**

24 A. Let me just take a moment to do some
25 recollection. I'm not recalling that we discussed

13

1 that during my time at Mount Sinai School of Medicine.
2 And prior to that I'm also probably not.

3 **Q. But in answering my question, the training
4 and experience, you are going back to your base
5 education in neurotoxicology/neuropsychology?**

6 A. Well, yes. Also continuing education over
7 the years. Psychologists are required to take I think
8 it's about 20 hours of continuing education every
9 year. And I've done that, I've usually exceeded that,
10 as well as continuing education in toxicology. So
11 I've been continuing to study these things.

12 **Q. In doing your continuing education, were
13 there any programs you attended specific to the topic
14 of EMI or EMS?**

15 A. I'm not recalling that happening.

16 **Q. Okay. To your knowledge is there any formal
17 training for diagnosing individuals with EMI, is there
18 a college program, is there a professional course, is
19 there a correspondence course to your knowledge?**

20 A. I'm not aware of a correspondence course or
21 continuing education course for diagnosing this
22 condition.

23 **Q. Do you know of any medical schools or
24 university schools or university graduate programs
25 that have like seminars for diagnosing individuals**

14

1 with EMI?

2 A. I don't know.

3 **Q. Have you published any professional articles?**

4 A. Yes.

5 **Q. Okay. And I take it these articles are**
6 **mentioned in your resume?**

7 A. Yes.

8 **Q. For those articles you have published, were**
9 **any of these articles related to the topic of EMI?**

10 A. I can refer to publications of mine that
11 pertain to the diagnosis of EMI. However, I don't
12 recall that the term EMI or electrical sensitivity is
13 mentioned in the publications, in my publications.

14 **Q. Okay. It does not appear in the titles that**
15 **describe your published articles?**

16 A. Yes.

17 **Q. Okay. Do you recall which articles you have**
18 **published that make mention of EMI in the text?**

19 A. I don't recall.

20 **Q. Have you published any articles on the topic**
21 **of electromagnetic sensitivity?**

22 A. I don't think so.

23 **Q. Now, you have prepared abstracts during your**
24 **career; is that correct?**

25 A. Yes.

15

1 **Q. What is an abstract?**

2 A. An abstract is a summary of research and
3 research findings.

4 **Q. Okay. And how does an abstract differ from a**
5 **professional article?**

6 A. A professional article is more in depth.

7 **Q. Have you prepared any abstracts on the topic**
8 **of EMI or EMS?**

9 A. I don't think so.

10 **Q. Let's talk about your practice, Dr. Singer.**
11 **What does your practice consist of now?**

12 A. I practice as a neuropsychologist and a
13 neurotoxicologist with a forensic specialization.

14 **Q. I take it you see patients?**

15 A. I see patients, yes.

16 **Q. Okay. Do you see patients with multiple**
17 **chemical sensitivity?**

18 A. Yes.

19 **Q. How much of your practice consists of these**
20 **type of patients with multiple chemical sensitivity?**

21 A. If what you're referring to is how many --
22 what part of my practice is devoted to let's say
23 treating people with multiple chemical sensitivity,
24 it's very small.

25 **Q. Okay.**

16

1 A. But just to clarify, with most of the people
2 that come to see me with neurotoxicity -- or I should
3 say many of them have some degree of chemical
4 sensitivity. Whether they are aware of it before they
5 come to see me or after they come to see me is another
6 question.

7 **Q. Okay. You said that you're a**
8 **neuropsychologist and a neurotoxicologist. I take it**
9 **your clientele fit in one of these two categories?**

10 A. Yes.

11 **Q. How about what percentage of your patients do**
12 **you see fall into the neurotoxicology class?**

13 A. Most of the people that I work with or see
14 are seeing me both for neuropsychology and
15 neurotoxicology.

16 **Q. Okay. Let me ask you now, what's the**
17 **difference between neurotoxicology and**
18 **neuropsychology?**

19 A. Neuropsychology is the study of the brain
20 behavior relationship or the nervous system behavior
21 relationship. And neurotoxicology is the study of the
22 effects of poisons on the nervous system. So someone
23 can be seeing a neuropsychologist and not have a
24 neurotoxicological issue.

25 **Q. But someone with a neurotoxicological issue**

17

1 **necessarily would have neuropsychological issues?**

2 A. I think so, yes.

3 **Q. But you can have a neuropsychological problem**
4 **and not have any neurotoxicological issues?**

5 A. Yes.

6 **Q. Okay. Do you see any other patients with**
7 **other types of problems, psychological problems?**

8 A. Not other than what pertains to
9 neuropsychology.

10 **Q. Okay. What percentage of your practice**
11 **consists of patients with EMI/EMS?**

12 A. A very small number.

13 **Q. Okay. Do you know how many patients you have**
14 **seen that had the specific complaints of EMI/EMS?**

15 A. I don't know that I could give an exact
16 number for that.

17 **Q. Okay. The plaintiff in this case is Arthur**
18 **Firstenberg. Was he your first patient that you saw**
19 **with EMI/EMS complaints?**

20 A. No.

21 **Q. Okay. So more than one?**

22 A. Yes.

23 **Q. More than ten?**

24 A. As I sit here trying to recollect the number
25 of patients that have reported complaints of EMI or

18

1 EMS to me, I'm recalling fairly clearly two additional
2 ones in addition to Mr. Firstenberg; plus a group that
3 I don't think I actually saw them, I think I did some
4 consultation with them.

5 **Q. Okay. But this group had informed you of a
6 possible EMI/EMS problem?**

7 A. Yes.

8 **Q. Of this small group, which of these
9 patients -- when did you first see these patients,
10 when was the very first patient that contacted you and
11 said I have EMS, I have EMI, can you help me?**

12 A. I don't think that that's how that first
13 patient came about. They were -- I think it was like
14 a Workers' Compensation situation. And he had
15 excessive exposure to electromagnetic radiation as
16 well as chemical exposure. And he just came to me for
17 a diagnosis.

18 **Q. And when did this occur, this Workers' Comp
19 claimant?**

20 A. Oh, approximately ten years ago.

21 **Q. Ten years ago. Okay. Do you recall this
22 individual's name?**

23 A. No.

24 **Q. Okay. You said there was others?**

25 A. Yes.

19

1 **Q. The second patient, when was that?**

2 A. The second was maybe five years ago.

3 **Q. What was the issue with this particular
4 patient? I don't want you going into confidentiality.
5 But just talking generalities.**

6 A. In generalities I believe she had multiple
7 chemical sensitivity. And she was probably the first
8 person to make a complaint to me, I have electrical
9 sensitivity.

10 **Q. Okay. How was that resolved?**

11 A. I did some work for her. And every once in
12 awhile I hear from her.

13 **Q. Okay. Have her symptoms resolved?**

14 A. I don't think so.

15 **Q. She continues to make complaints, EMI/EMS
16 complaints?**

17 A. Yes.

18 **Q. And this was five years ago?**

19 A. Approximately.

20 **Q. The next patient?**

21 A. The next would be a series of people who had
22 consulted with me, although I only recall speaking
23 with one person from their group. And they had --
24 they had electrical sensitivity complaints.

25 **Q. Okay. And this is the group you were**

20

1 referring to earlier?

2 A. Yes.

3 **Q. And you said you just did some consultation
4 work for them?**

5 A. I did some consultation work, yes.

6 **Q. But they weren't patients of yours?**

7 A. Right.

8 **Q. Okay. And if I may ask, what was this
9 consultation, what did they ask you to do for them?**

10 A. It's not really clear. But I remember having
11 them complete the neurotoxicity screening survey,
12 which is an instrument that I developed that assesses
13 for symptoms of neurotoxicity. And they were in some
14 type of legal action. And I wrote some document for
15 them.

16 **Q. Okay. Were you retained as an expert in that
17 case, this litigation?**

18 A. I'm not sure. My recollection is I wrote
19 something for them. And that was my last involvement
20 with the case.

21 **Q. Okay. So it was like a consulting expert,
22 the type of expert that's not to be disclosed to the
23 other side?**

24 A. I don't know.

25 **Q. Okay. What was this neurotox -- this**

21

1 neurotox, how about that, this tool, what did you call
2 it again?

3 A. Neurotoxicity screening survey. If you want,
4 you can call it NSS. But yeah, that's what I referred
5 to.

6 **Q. Did you do this screening survey with
7 Mr. Firstenberg?**

8 A. I don't think so.

9 **Q. Okay. And this screening survey was
10 something you developed yourself?**

11 A. Yes.

12 **Q. Is this something used by other practitioners
13 in your field or is it just you do it?**

14 A. Just I do it, although other practitioners
15 can rely on it if they choose to.

16 **Q. Why didn't you use this screening survey on
17 Mr. Firstenberg?**

18 A. I didn't think it was necessary because I was
19 going to be evaluating him in person. And I wasn't
20 sure if those were the complaints that he was making
21 since his complaints seemed to be specific to the
22 specific circumstances of this case.

23 **Q. This screening survey test, what do you look
24 for when someone takes this survey?**

25 A. I look for the presence of symptoms that are

22

1 consistent with neurotoxicity.

2 **Q. Okay. And is this like an intake survey or**
3 **is this a full-blown diagnostic tool that you use to**
4 **evaluate a patient?**

5 A. It's closer to an intake survey.

6 **Q. Okay. So something they fill in when they**
7 **first see you and you get a good idea of what's going**
8 **on?**

9 A. It can be used for that.

10 **Q. Okay. What other purposes can it be used**
11 **for?**

12 A. It can be used for educational purposes if
13 people want to know if they have symptoms consistent
14 with neurotoxicity.

15 **Q. Okay. And tell me again why, when you used**
16 **this for Mr. Firstenberg, he had something different?**

17 A. I didn't really -- I didn't really think
18 about it at the time. And looking backwards I still
19 don't think it was necessary for him to take it
20 because I evaluated him in person.

21 **Q. So it was really a question of choice of**
22 **means?**

23 A. Yes.

24 **Q. Okay. Let's talk about your retention as an**
25 **expert witness. What were you asked to do in this**

23

1 **case? And now you're referring to your report?**

2 A. Yes.

3 **Q. Okay. Let me get that to you. We'll call**
4 **this Singer 4.**

5 (Singer Exhibit No. 4 marked.)

6 BY MR. ROMERO:

7 **Q. Okay.**

8 A. Reading from my report on page 3, under
9 Examination Question, "Arthur Firstenberg is in
10 litigation regarding the possible effects of microwave
11 radiation from his neighbor's home. He wanted to know
12 the following: Are the reactions that he has in his
13 home when his neighbor's radiation equipment is
14 operating caused by radiation from his neighbor's
15 home? Are his reactions caused by psychological
16 illness, independent of radiation toxicity?"

17 **Q. Okay. That was your original scope of**
18 **retention?**

19 A. Yes.

20 **Q. Has this original scope of retention changed**
21 **any since you became involved in the case? Have they**
22 **asked you to do more?**

23 A. I believe, yes, I've been asked to do more
24 since then.

25 **Q. And what were you asked to do that's more?**

24

1 A. A number of different things. And one was to
2 attend Dr. Staudenmayer's examination of
3 Mr. Firstenberg, attend the environmental testing of
4 Mr. Firstenberg's home a few months back. I think the
5 rest is within the scope of the questions.

6 **Q. No other questions?**

7 A. Let me think about that for a moment.

8 **Q. Okay.**

9 A. I think that those questions pretty much
10 cover the scope of what I've been asked to address.

11 **Q. Okay. Were you asked to do anything in terms**
12 **of your retention that you could not do?**

13 A. I don't think so.

14 **Q. Has Mr. Firstenberg or his attorney imposed**
15 **any limits on your retention as an expert witness in**
16 **this case?**

17 A. I don't think they have.

18 **Q. Okay. Isn't it true that Mr. Firstenberg**
19 **directed you not to contact the 706 expert in this**
20 **case?**

21 A. The 706 expert?

22 **Q. Yes. Dr. -- who is the 706 -- yeah,**
23 **Dr. Siegel. Did Mr. Firstenberg direct you not to**
24 **contact Dr. Siegel?**

25 A. He may have at one time. But then that --

25

1 then he released that restriction.

2 **Q. Okay. Were there any other restrictions in**
3 **your capacity as an expert witness in this case voiced**
4 **by Mr. Firstenberg or his attorney?**

5 A. I'm not aware of any.

6 **Q. Okay. Let me hand you what I'll mark as**
7 **Singer No. 5.**

8 (Singer Exhibit No. 5 marked.)

9 BY MR. ROMERO:

10 **Q. Feel free to look at this document. I'm**
11 **going to be asking about the studies.**

12 A. I'd like to go back and amend one of my prior
13 answers.

14 **Q. Sure.**

15 A. Mr. Firstenberg also asked me to look at
16 Dr. Staudenmayer's report and review it and I guess be
17 prepared for questions about it.

18 **Q. Okay.**

19 A. Okay.

20 **Q. Going to the studies, you were provided**
21 **studies, scientific studies, by Mr. Firstenberg or his**
22 **attorney; is that correct?**

23 A. Yes.

24 **Q. Were you given studies by any other**
25 **individual in relation to this case?**

26

28

1 A. I don't think so.

2 **Q. Okay. Did you conduct your own research for**
3 **any studies related to this case?**

4 A. Yes.

5 **Q. Okay. Tell me about this research. What**
6 **studies were you able to uncover, what were you**
7 **looking for?**

8 A. I was looking for studies with reference to
9 the effects of electromagnetic radiation on the
10 nervous system.

11 **Q. Okay. And were you able to uncover certain**
12 **studies in that regard?**

13 A. Yes.

14 **Q. Are these studies mentioned in your affidavit**
15 **that's been marked Singer No. 5?**

16 A. Some of them are.

17 **Q. Okay. And it's fair to say that some of**
18 **these studies were uncovered in your own research?**

19 A. So you're asking me to break out which
20 studies in this exhibit came from my own research and
21 which studies were given to me?

22 **Q. Yes.**

23 A. So let me look through this and see if I can
24 determine that.

25 **Q. Sure.**

27

1 A. It's not an easy question for me to answer,
2 because in my review of the literature, I sometimes
3 went into the files of articles that I received from
4 the plaintiff or the plaintiff's attorney. And
5 sometimes I just did my own research on the Internet
6 using search terms. So I'll do the best I can to
7 answer your question.

8 **Q. Okay.**

9 A. I'm not totally certain. But I think on page
10 4, No. 28, I believe that one came from my independent
11 research. And No. 29A, B, and C, I think they came
12 from my independent research, although it may overlap
13 what the plaintiff or the plaintiff's attorney gave to
14 me. Number 30A, I'm pretty sure that came from my
15 independent research, as well as 30B.

16 **Q. Okay. Any other studies contained in this**
17 **affidavit you recognize as coming from your own**
18 **research or were those it to the best of your**
19 **recollection?**

20 A. That's the best of my recollection.

21 **Q. Okay. Can you tell us how many hours you**
22 **spent doing your own research in this case searching**
23 **for these studies?**

24 A. Many. I can estimate -- I'm just not sure
25 because I haven't been keeping -- I don't have that

1 right in front of me. But I think 20 hours I've been
2 on the Internet searching out articles and reviewing
3 them and copying them out.

4 **Q. Okay. Other than researching studies on the**
5 **Internet, were you able to get other information on**
6 **this topic, on EMI/EMS?**

7 A. Yes.

8 **Q. Like what?**

9 A. I began compiling information on microwave
10 radiation neurotoxicity in a document that I entitled
11 Microwave Radiation Neurotoxicity: Report in
12 Preparation. And it's not finished.

13 **Q. Okay.**

14 A. It's a 47-page document now. And it includes
15 my review of research on various topics that pertain
16 to electromagnetic frequency neurotoxicity.

17 **Q. Let me stop you there. Going to your report,**
18 **Exhibit 4, let's go to page 3. And under the heading**
19 **Neurotoxicant Exposure, the last sentence of this**
20 **paragraph reads, "See a separate report, in**
21 **preparation, for my research on this topic."**

22 **Is this the report you're talking about, is**
23 **this the separate report?**

24 A. Yes.

25 **Q. Okay. If you look at your Singer report,**

29

1 page 17 --

2 A. At the top of the page?

3 **Q. At the top of the page, first paragraph, "I**
4 **am in process of assembling a separate report which**
5 **will demonstrate that EMF can cause physiological**
6 **changes in brain and nervous system tissue as well as**
7 **animal behavior." This report you're referring to,**
8 **that's the separate report?**

9 A. Correct.

10 **Q. You said you're still in the process of**
11 **putting it together?**

12 A. It's in process. I haven't totally completed
13 it in that there is still further documents and
14 studies that I would like to include in it. And some
15 of the editing is -- hasn't been complete. And some
16 of the topics have not been fleshed out.

17 **Q. So right now this separate report is a**
18 **working draft?**

19 A. Yes.

20 **Q. And how much time do you need to complete**
21 **this draft?**

22 A. To complete it to include a thorough review
23 of the literature, I would probably -- I'm estimating
24 I would need another 20 hours.

25 **Q. Okay. Now, I'll just need to inform you that**

30

1 the discovery deadline has come and gone. And this
2 document could very well be excluded from evidence.
3 I'm just giving you fair warning on that.

4 But for purposes of this deposition, would
5 you mind if we make a copy of this working draft and
6 attach it as an exhibit to this deposition, would that
7 be okay with you?

8 A. Yes.

9 Q. Okay. We'll do that during the first break.
10 And we'll get back to this separate report shortly.

11 Going back to your affidavit, and this is
12 Singer No. 5, I noticed the affidavit breaks down the
13 studies by topic. One deals with DNA changes, one
14 deals with the effect of electromagnetic fields in
15 cells.

16 My question to you is is there a topic, a
17 subtopic listed in the affidavit that is specific to
18 neurotoxicity and its effects on EMI/EMS? Can you
19 point out to me which of these subtopics relate to
20 that specific topic, neurotoxicity, EMI/EMS.

21 MR. LOVEJOY: Do you understand the question,
22 Dr. Singer?

23 THE WITNESS: I think I do. I'll do my best
24 to answer it.

25 MR. LOVEJOY: Okay.

31

1 THE WITNESS: Basically I believe that they
2 all pertain to the topic of neurotoxicity and its
3 effects on EMI/EMS. If your question to me is do
4 those research papers that I cite explicitly state
5 those words, that I would have to go through and make
6 an evaluation.

7 BY MR. ROMERO:

8 Q. Okay. But in terms of neurotoxicological
9 effects from EMI/EMS, with all these subcategories --
10 and I approach this as some subtopics are more
11 important than others in relation to that issue. Can
12 you identify which subtopic is more important than the
13 others when addressing neurotoxicity and EMI/EMS?

14 A. The two articles that I -- if I had to pick
15 two articles I think are the most important out of the
16 articles that I cite -- it's always difficult to make
17 that determination. But if I only had -- if I can
18 only choose two articles --

19 Q. Your favorites.

20 A. My favorites. Okay. I would probably pick
21 the article on page 5, 30A, the report published by
22 the National Research Council/Research Press in that
23 they give an overall review of the topic. And
24 secondly I would pick the article referred to on page
25 7 under item 32, the TNO report.

32

1 Q. This is subpoint A?

2 A. Subpoint A just gives a description of TNO.
3 And subpoint B names the study. And then the rest, C
4 through G, summarize the results.

5 Q. Okay. So you have two favorites. We'll call
6 the first one the Canadian study. And the second one,
7 there's a name, Zwamborn. Let's call this the Dutch
8 study, because I don't speak Flemish.

9 Any other favorites you have?

10 A. Within this document?

11 Q. Within this document.

12 A. Yes. The document on page 6, under 30B, from
13 the International Journal of Occupational and
14 Environmental Health.

15 Q. So three favorites?

16 A. Yes.

17 Q. Later, once we get a copy of this separate
18 report, I'll be asking questions of any favorites you
19 might find in there. One of the problems in this case
20 is there's lots of studies. And some of them are just
21 general, some of them are just topical, some of them
22 are introductory material. But I'm just trying to
23 find the ones that you consider go right to the heart
24 of the matter and things that you would use to base
25 your opinion on.

33

1 For this affidavit we have these three
2 studies as favorites. I mean you had other ones. But
3 these three in particular stand out; is that a fair
4 statement?

5 A. Yes. And one of the problems is that it's a
6 complex topic and there are many facets to it. So
7 other studies contribute to my understanding of this
8 topic.

9 Q. But these three studies are kind of like the
10 pack leaders so to speak?

11 A. Well --

12 MR. LOVEJOY: You can answer if you
13 understand what is meant here.

14 THE WITNESS: Yeah. When you say pack
15 leaders --

16 BY MR. ROMERO:

17 Q. How about I rephrase it.

18 How about these three studies are prominent?

19 A. They're prominent in my mind in this moment
20 in time.

21 Q. Okay. There has been at issue in this
22 litigation a study called the McCarty study. Have you
23 reviewed the McCarty study?

24 MR. LOVEJOY: McCarty?

25 BY MR. ROMERO

34

1 **Q. It's McCarty. It's also known as the Marino**
2 **study?**

3 **A. Yes. I have reviewed that.**

4 **Q. Would you lump that study in the same**
5 **category as the three you've mentioned?**

6 **A. I believe it's an important study. And I**
7 **think it helps clarify what's found in these other**
8 **research studies.**

9 **Q. Would you consider this study to be a**
10 **favorite, would you add it to the category of**
11 **favorites?**

12 **A. I don't know.**

13 **Q. Okay. But it doesn't stand out as**
14 **prominently as the other three?**

15 **A. It's difficult to answer this question just**
16 **because I find that the corpus, entire corpus of**
17 **literature is important. And you're asking me to pick**
18 **out individual studies.**

19 **And I think it's the study -- the Marino**
20 **study, recalling it, I think that's an important**
21 **study. Is it like a landmark study? I don't know.**
22 **Maybe it is.**

23 **Q. Okay. But you haven't made a determination**
24 **in your mind that this is a landmark study?**

25 **A. Yes. I have not. It may be a landmark**

35

1 **study.**

2 **Q. But in your mind that's yet to be resolved?**

3 **A. I suppose so. I'm finding this line of**
4 **questioning difficult to respond to.**

5 **Q. Yeah. It's a hard topic because we're**
6 **dealing with a large body of literature. And I'm just**
7 **trying to find out which studies stand out to you in**
8 **this case.**

9 **A. I think that study stands out to me, yes.**

10 **Q. Okay. Along with the other three that you**
11 **just testified to?**

12 **A. Yes.**

13 **Q. Okay. Now, which came first, your report**
14 **that's Singer Exhibit No. 4 or the affidavit that's**
15 **Singer Exhibit No. 5? I notice that Singer Exhibit**
16 **No. 5 is not dated and Singer Exhibit No. 4 is dated**
17 **May 6th, 2011.**

18 **A. Singer 5 shows an execution date of**
19 **January 12, 2011. So that would precede the Singer 4.**

20 **Q. Okay. I'm sorry. I didn't see that. Now,**
21 **in preparing the affidavit that's Singer 5, other than**
22 **those studies mentioned in the affidavit, did you rely**
23 **on other studies?**

24 **A. I'm not recollecting that.**

25 **Q. Is it fair to say that those studies that are**

36

1 **listed in the affidavit were the studies you**
2 **primarily -- you relied on in making the opinions**
3 **contained in the affidavit?**

4 **A. I relied on those studies. And I probably**
5 **relied on other studies also.**

6 **Q. Okay. But for those that weren't mentioned,**
7 **why weren't they mentioned?**

8 **A. I don't know.**

9 **Q. Okay. Were they not important to you or did**
10 **they not bear mentioning?**

11 **A. I'm not recollecting.**

12 **Q. Okay.**

13 **A. To try and answer your question the best I**
14 **can, I think that I selected out the studies that I**
15 **thought were most significant and covered the most**
16 **areas. So for broadness as well as significance.**

17 **Q. Okay. Now, your report, Exhibit 4, that came**
18 **out in May the same year; is that correct?**

19 **A. Yes.**

20 **Q. And did you rely on primarily the studies**
21 **outlined in your affidavit, Singer 5?**

22 **MR. LOVEJOY: In doing what?**

23 **BY MR. ROMERO:**

24 **Q. In formulating the report.**

25 **A. In formulating the report, I made no**

37

1 **reference to any research studies outside the report.**

2 **However, if what you're asking me with regard to the**
3 **development of my opinion I've expressed in the**
4 **report, that I probably did rely on additional**
5 **studies; because my study of this topic is ongoing.**

6 **Q. Okay. Do you have any notations of what**
7 **additional studies separate and apart from those**
8 **listed in the affidavit were used in formulating the**
9 **May report?**

10 **A. I don't think so.**

11 **Q. And I take it it was your expectation that**
12 **those additional studies would be mentioned in the**
13 **separate report that's mentioned in Exhibit 4?**

14 **A. Yes.**

15 **Q. But as of now you have no recollection of**
16 **what additional studies you had handy separate and**
17 **apart from those listed in the affidavit when**
18 **preparing the May report?**

19 **A. I don't have a recollection of that.**

20 **Q. Okay.**

21 **A. May I take a break.**

22 **Q. Let's take a break. Let's take a ten-minute**
23 **break. And if you could, could you hand us that**
24 **separate report. And we'll make four copies of that.**

25 **Thank you.**

38

1 (Recess.)

2 MR. ROMERO: Back on the record.

3 BY MR. ROMERO:

4 Q. Let's talk about those studies you identified
5 in your affidavit that for lack of a better word are
6 your favorites or stood out or are prominent. Let's
7 just go through each one. I'm going to ask the same
8 series of questions for each study.

9 Now, Dr. Singer, tell me, what is a
10 peer-reviewed study in your mind?

11 A. That's a study that has been reviewed by
12 scientists/researchers who are gatekeepers to
13 scientific journals.

14 Q. Is the fact of something being published, is
15 that the same thing as peer-reviewed in your mind?

16 A. No.

17 Q. And why is that?

18 A. Well, peer-reviewed denotes that it was
19 reviewed by peers of the person that's writing the
20 publication.

21 Q. Okay.

22 A. Something could be self-published without
23 having been peer-reviewed.

24 Q. And what is the importance of replicated test
25 results in a scientific study, is that something

39

1 important to you?

2 A. It's good when studies can be replicated.

3 Q. Okay. Is it fair to say that if a study --
4 its test results have been replicated, it's more
5 persuasive?

6 A. Yes.

7 Q. And if you see a study that has no indication
8 of replicated test studies, it's less persuasive in
9 your mind?

10 A. Yes.

11 Q. Let's go to the first study on page 4 of your
12 affidavit, No. 28. It's the Malmgren study. Do you
13 see that?

14 A. I see on 28, the first author is Markova.

15 Q. Markova. Okay. I'm sorry. Do you know if
16 this study was ever peer-reviewed?

17 A. I believe that it is peer-reviewed.

18 Q. Okay. Do you know if this study had
19 replicated test results?

20 A. I don't know.

21 MR. LOVEJOY: Can you clarify that question.
22 Do you mean in the study itself.

23 MR. ROMERO: In the study itself.

24 MR. LOVEJOY: Replicated results were
25 recorded or it was later replicated?

40

1 BY MR. ROMERO:

2 Q. I guess in the study itself. Did the study
3 contain replicated test results to your knowledge?

4 A. I can see from my abstract that I had cited
5 that they replicated their findings with two carrier
6 frequencies.

7 Q. Okay. What is double-blind placebo testing,
8 can you tell us what that means?

9 A. Well, double-blind means that the examiner
10 and the subject are not aware if a -- they're not
11 aware of the test condition.

12 Q. Okay. What about placebo, what's placebo?

13 A. Placebo I believe comes from the Latin, I
14 please. And it refers to drugs or procedures that
15 improve people's health, but the actual improvement
16 may not be due to the drug or the procedure.

17 Q. Okay. And if we put it all together,
18 double-blind placebo testing, are you familiar with
19 that term?

20 A. I've seen it in Dr. Staudenmayer's report.

21 Q. Do you know what that means?

22 A. I'm not sure what he means by placebo
23 testing, no.

24 Q. Okay. What are other attributes of
25 double-blind testing in the psychological field, what

41

1 other attributes? You said that the test subject and
2 the I guess test administrator who is in the same room
3 as the test subject, they're not aware. What other
4 aspects are there to double-blind testing?

5 A. I don't know.

6 Q. Okay. Now, for the Markova study, do you
7 know if there was double-blind testing?

8 A. I don't know.

9 Q. Okay. Now, let's go to the next page, page
10 5, 29A. There is an article from the International
11 Journal of Occupational Medicine and Environmental
12 Health?

13 A. Yes.

14 Q. Do you see that study?

15 A. Yes.

16 Q. Was this study peer-reviewed?

17 A. Yes.

18 Q. Did this study contain replicated test
19 results contained in the study itself?

20 A. I don't recall.

21 Q. Do you know if any double-blind testing was
22 done on this particular study?

23 A. I don't know.

24 Q. Okay. Let's go to the same page on B. And
25 what is this study called?

42

1 A. Mobile Phone Emissions and Human Brain
2 Excitability.
3 Q. Okay. To the best of your recollection, was
4 this particular study peer-reviewed?
5 A. Yes.
6 Q. As opposed to just being published?
7 A. Yes.
8 Q. Okay. For this study were the test results
9 replicated within the study itself?
10 A. I don't recall.
11 Q. Okay. And for this study, the Mobile Phone
12 Emissions and Human Brain Excitability, did they use
13 double-blind testing?
14 A. I don't recall.
15 Q. Okay. For C, the EHP study, we'll just call
16 it that, do you know if this study was peer-reviewed?
17 A. Yes.
18 Q. Okay. As opposed to just mere publication?
19 A. Yes.
20 Q. And were the test results replicated in the
21 study itself?
22 MR. LOVEJOY: I object to the form of the
23 question. It's not clear to me that this is a report
24 of experimental data.
25 BY MR. ROMERO:

43

1 Q. If you can answer the question.
2 A. I don't think it was a report of experimental
3 data, but it was a report of a summary of findings.
4 And in that sense the author believed the findings
5 were replicated.
6 Q. Okay. And for this report or summation or
7 whatever you wish to call it, do you know that the
8 test results were based on double-blind testing?
9 A. I don't know.
10 Q. Okay. Let's go to same page, No. 30A, that
11 we have previously referred to as the Canadian study.
12 Was this Canadian study peer-reviewed?
13 A. Yes.
14 Q. And were the test results replicated and
15 those replicated test studies were contained in the
16 report?
17 A. This report I believe did not produce let's
18 say source material that they were basing their
19 opinion on. But I believe that the authors would say
20 that the results have been replicated and presented.
21 Q. Okay. And do you know if any double-blind
22 testing was used in the making of this report?
23 A. I don't know.
24 Q. Let's go to page 6 of your affidavit,
25 subpoint B. And again there's another study from the

44

1 International Journal of Occupational and
2 Environmental Health. Was this study peer-reviewed?
3 A. Yes.
4 Q. Were the test results replicated in this
5 study and the replication is noted in the study
6 itself?
7 A. Again this study does not present original
8 source data I believe. However, I believe that the
9 authors found that there was significant replication
10 of results in their formulation of their opinions.
11 Q. So it's fair to say that this study is not
12 really based on original experimentation, it was just
13 a survey of other studies that did do that
14 experimentation?
15 A. Yes.
16 Q. Okay. And do you know whether the authors
17 reviewed material that was based on double-blind
18 testing?
19 A. I don't know.
20 Q. Okay. Now, let's go to page 7 to subpoint B.
21 And just for the record, we're still on Singer Exhibit
22 No. 5, talking about the Dutch study. Was the Dutch
23 study peer-reviewed?
24 A. Yes.
25 Q. Okay. And were the test results replicated

45

1 in this study and the replication appears in the study
2 itself?
3 A. I don't recall.
4 Q. Okay. And do you know whether any of the
5 testing methods used involved double-blind testing?
6 A. I don't recall.
7 Q. Now, you also talked about the Marino study
8 also called the McCarty study. I do know it has been
9 published. But do you know if it has been
10 peer-reviewed? I have the study here. I could just
11 have you look at it if you want to.
12 A. That might help.
13 Q. Okay. Let me give you that. I'm probably
14 not going to tender this as an exhibit. But I'll just
15 let you look at it.
16 MR. LINDSAY: There have been a lot of Marino
17 studies. So we should read the title of it or
18 something like that.
19 BY MR. ROMERO:
20 Q. Okay. I'm not going to tender this as an
21 exhibit. But I'm going to hand you a scientific
22 article from the International Journal of
23 Neuroscience. It's titled Electromagnetic
24 Hypersensitivity: Evidence for a Novel Neurological
25 Syndrome.

46

1 And this is what we've been referring to as
2 the Marino study. And I'm going to hand it to
3 Dr. Singer and ask that he review it to see if it's
4 been peer-reviewed.

5 A. I'm reasonably certain that it's
6 peer-reviewed.

7 Q. And why do you say that?

8 A. Because it's published by Informa Healthcare
9 and entitled International Journal of Neuroscience.
10 It's probably peer-reviewed.

11 Q. Okay. You testified earlier that a bunch of
12 I guess like-minded scientists look over the article.
13 Is there anything in what you're seeing before you
14 that suggests that that was, in fact, done?

15 A. I don't believe that was what I testified.

16 Q. Okay. Explain to me again how something is
17 peer-reviewed.

18 A. It was when you said like-minded. That part
19 is not supposably part of peer review. It would be
20 the peers of reviewing it.

21 Q. Peers. Okay. Is there something you could
22 point to me in this Marino study that says peers have
23 looked it over, peers have reviewed it?

24 A. Without looking through this study in detail,
25 I wouldn't be able to say that. However, it would

47

1 also not be customary to include that information in
2 an article that's published in a peer-reviewed
3 journal.

4 Q. Okay. So how does one tell the difference
5 between an article that's just published versus one
6 that is peer-reviewed?

7 A. Well, the articles that are published in
8 these journals, they tend to be peer-reviewed. But
9 something could be self-published and it wouldn't be
10 in one of these journals.

11 Q. Okay. So for the most part, someone reading
12 those journals sees this article. They have no way of
13 telling whether it was just published or
14 peer-reviewed?

15 A. In order to be absolutely sure, you would
16 need to research the journal itself and look on their
17 website or get information about it. But customarily
18 speaking these are all peer-reviewed types of
19 journals.

20 Q. Okay. It's your testimony that you believe
21 this to be peer-reviewed?

22 A. Yes.

23 Q. Okay. And you have reviewed the Marino
24 study; is that correct?

25 A. Yes.

48

1 Q. At some point in this litigation?

2 A. Yes.

3 Q. Do you know if the test results were
4 replicated and these replicated test results appear in
5 this study?

6 A. I don't know.

7 Q. Do you know the testings used? And I believe
8 there was one test subject. Did they ever use
9 double-blind testing?

10 A. Yes.

11 Q. They did use double-blind testing?

12 A. Yes.

13 Q. In your view of the Marino study, did they
14 use more than one test subject?

15 A. No.

16 Q. Okay. Is that unusual, doing a scientific
17 study with only one test subject?

18 A. In some senses it's unusual. But it's an
19 acceptable way to conduct research.

20 Q. Okay. Is it more commonplace to have
21 multiple test subjects when preparing a scientific
22 study?

23 A. Yes.

24 Q. And why is that, what's the difference in
25 having many test subjects versus one test subject?

49

1 A. With more test subjects, you might have a
2 replication.

3 Q. And with just one test subject, you may not?

4 A. Yes.

5 Q. Okay. If you could hand me that study.
6 I'll hand you what we'll mark as Singer 6.
7 I'm going to hand you back your original.
8 (Singer Exhibit No. 6 marked.)

9 BY MR. ROMERO:

10 Q. And this is the separate report that you
11 referred to in your May 2011 report; is that correct?

12 A. Yes.

13 Q. And what is this separate report, what does
14 it consist of?

15 A. It consists of about 15 separate sections
16 that cover microwave radiation neurotoxicity, report
17 in progress. And at the end I added some other types
18 of analysis and information; and at the very end,
19 something just specific to this litigation, which will
20 not be part of the report eventually. But it's there
21 in case I need to refer to it today.

22 Q. Okay. Does this Singer Exhibit 6, the
23 separate report, does it contain any opinions or
24 conclusions not contained in the May 2011 report?

25 A. It contains some opinions. I'm not sure if

50

1 it contains any -- I don't think it contains
2 conclusions. But it contains something that could be
3 called opinions.

4 **Q. Okay. And can you point us to where these**
5 **other opinions are.**

6 A. Kind of roughly speaking I underlined
7 sentences from documents reflecting an opinion about
8 what I think is important, important statements from
9 those documents.

10 **Q. Okay. But in terms of your own original**
11 **opinions as an expert in this case, are there any? I**
12 **know you highlighted certain things you felt were**
13 **important. And I guess that's an opinion. But in**
14 **terms of your initial opinion in the May 2011 report,**
15 **do you have additional opinions that supplement the**
16 **initial report?**

17 A. In this report there might be some opinions
18 that pertain to studies of electromagnetic radiation
19 neurotoxicity. But I'm not sure -- if I answer yes,
20 I'm not sure if that's the correct answer to your
21 question.

22 **Q. Okay. Let's go back to your original report,**
23 **Singer Exhibit 4. And I asked you what you were asked**
24 **to do. And you said that the answer to that question**
25 **can be found in the Examination Question heading?**

51

1 A. Yes.

2 **Q. Okay. For Singer Exhibit 6, the separate**
3 **report, did that examination question change any?**

4 A. No.

5 **Q. Okay. Now, in Singer Exhibit 6, the separate**
6 **report, you refer to other studies?**

7 A. Yes.

8 **Q. And some of these studies were not identified**
9 **in your affidavit that we've marked as Singer**
10 **Exhibit 5?**

11 A. Yes.

12 **Q. Okay. We discussed on your affidavit studies**
13 **that, for lack of a better word, were favorites or**
14 **stood out or more equal than others. Let's go through**
15 **the separate report. And I want you to identify which**
16 **of those studies that you would lump in the same**
17 **category as the favorites as the McCarty study and**
18 **studies that are just more equal than others.**

19 And I know we're dealing with a lot of
20 information. But some that stand out for whatever
21 reasons. Can you identify those.

22 MR. LOVEJOY: You want him to just go through
23 the report and mention those that have that quality?

24 BY MR. ROMERO:

25 **Q. Yes.**

52

1 A. On page 6, under 4.a.iii. -- did you want me
2 to identify that study further?

3 **Q. Let's just go through it. And I've circled**
4 **that. And we'll just go on to the next one. And then**
5 **we'll go back and I'll ask specific questions for each**
6 **study. And if there's a study that was already**
7 **discussed in the affidavit, we can skip over those.**
8 **I'm just looking for new studies that stood out in**
9 **your mind.**

10 A. This study 5.a.iii. -- excuse me. That we've
11 done. I think we did that one.

12 **Q. Okay.**

13 A. I'll just double-check. On page 9, 6.a.i.,
14 6.a.iv., 6.b., 6.c., 6.e., 6.f., 6.h., 8.a.

15 **Q. What page are you on?**

16 A. Page 16. 8.b., 8.c., 8.d., 8.f., 8.g., 10.e.

17 **Q. And what page is that?**

18 A. Page 22. 10.g.

19 **Q. And this is page 23?**

20 A. Yes. 13.i. on page 26. No, excuse me.

21 Yeah, 13.a.i., 13.e., 13.h., 15.a., 15.b., 15.d.

22 **Q. This is on page 32?**

23 A. Yes. Those are the highlights.

24 **Q. Okay. And of these studies you referenced in**
25 **Exhibit 6, you discovered or you uncovered these**

53

1 **studies after your report of May 16, 2011?**

2 MR. LOVEJOY: You're asking him about each of
3 the ones that we've noted in this last response?

4 BY MR. ROMERO:

5 **Q. Yes.**

6 A. I don't know when I uncovered them.

7 **Q. Okay. Do the reports you've highlighted in**
8 **Singer Exhibit 6 change any opinions and conclusions**
9 **you have made in your May 2011 report?**

10 A. No.

11 **Q. Okay.**

12 A. I need to take a short break.

13 **Q. We'll take a five-minute break.**

14 A. Okay.

15 (Recess.)

16 MR. ROMERO: Let's go back on the record.

17 BY MR. ROMERO:

18 **Q. For the reports you highlighted on Singer**
19 **Exhibit 6, is it fair to say that these studies you**
20 **identified reinforce your opinion in your May 2011**
21 **report?**

22 A. Yes.

23 **Q. Okay. Did Mr. Firstenberg or his attorney**
24 **assist you in preparing for this separate report**
25 **listed as Singer Exhibit 6?**

54

1 A. Not for what we've discussed so far in that
2 Singer 6.

3 Q. Okay. For Singer 6 there was some talk
4 earlier of studies provided to you by Mr. Firstenberg
5 and his counsel and studies that you uncovered in your
6 own research. Did the same thing happen here with
7 respect to Singer Exhibit 6, was it a little of both
8 or was it just all you?

9 A. Most of it was my research in going from
10 article to article.

11 Q. Okay. But there are some instances where
12 there were studies given to you by Mr. Firstenberg and
13 his attorney?

14 A. Probably, yes, there were. They gave me
15 studies.

16 Q. You don't know which ones were given and
17 which ones were from your own research?

18 A. Not offhand.

19 Q. Now, for all the studies you relied on, and
20 this is not limited to the ones you highlight in the
21 abstract or the ones you highlighted in Singer 6, was
22 there any studies that you reviewed that discussed
23 testing methods for EMI/EMS?

24 A. Yes.

25 Q. Okay. And of those studies did you adopt

55

1 those testing methods in this case in your examination
2 of Mr. Firstenberg?

3 A. Some of the tests I used overlapped some of
4 the tests that were reported.

5 Q. Do you recall which studies in particular
6 identified testing methods that you actually used in
7 this case?

8 A. Is the question did I derive my testing
9 methods from this research?

10 Q. Yes.

11 A. No. I didn't derive it from this research,
12 no.

13 Q. So we're clear, I just want to make sure I
14 understand you correctly. Of all the studies,
15 scientific studies you have reviewed, the testing
16 methods you employed on Mr. Firstenberg, you didn't
17 borrow methods contained in any of these scientific
18 studies?

19 A. Well, I might have used similar methods. But
20 I didn't derive it from that.

21 Q. Okay. Were these testing methods something
22 that you use regularly in your own practice?

23 A. Yes.

24 Q. Okay. But for any scientific study on
25 EMI/EMS, you didn't find a testing methodology or

56

1 methodology that you used on Mr. Firstenberg?

2 A. I didn't copy a methodology from an
3 individual research article.

4 Q. Okay. And it's fair to say that the testing
5 methods you used derived mostly from your practice?

6 A. Yes.

7 Q. Is EMI/EMS a medically recognized disease in
8 any peer-reviewed journal?

9 MR. LOVEJOY: He can answer this question.
10 But I think it would help if you could define what you
11 mean by medically recognized with respect to a
12 journal.

13 BY MR. ROMERO:

14 Q. I have no definition. If you can answer the
15 question, is there more you need?

16 A. Well, perhaps you could expound upon your
17 question to be sure that I'm on the right track.

18 Q. Okay. Is EMI/EMS a medically recognized
19 disease by the AMA?

20 A. I don't know.

21 Q. Is EMI/EMS a medically recognized disease by
22 the World Health Organization?

23 A. Well, I know that one of the officers of the
24 World Health Organization had this condition and she
25 wrote about it. But whether it's recognized by the

57

1 whole organization I'm not sure.

2 Q. Okay. Is EMI/EMS a medically recognized
3 diagnosis by the AMA?

4 A. I don't know.

5 Q. Is EMI/EMS a medically recognized diagnosis
6 by the World Health Organization?

7 A. It's the same answer I gave before.

8 Q. Okay. Is EMI/EMS a medically recognized
9 etiology by the AMA?

10 A. I don't know that specifically. But I will
11 say that electrically-related illnesses are recognized
12 illnesses. Now, you may be referring specifically to
13 EMI/EMF as opposed to electrically related illnesses.
14 And I would classify that as a subset of electrically
15 related illnesses. But you're asking I think are
16 those specific words used. And if you're referring to
17 the AMA, I don't know.

18 Q. Okay. I'm just asking specific to EMI/EMS.
19 Electrical-related diseases I think encompasses a lot
20 of things. I'm just trying to narrow the search to
21 just EMI/EMS. So I understand you correctly, you
22 don't know if it's a medically recognized etiology by
23 the AMA?

24 A. Yes.

25 Q. And when I say the AMA, I'm referring to the

58

1 American Medical Association.

2 A. Right.

3 Q. Now, same question as the others. Is EMI/EMS
4 a medically recognized etiology by the World Health
5 Organization?

6 A. Yes. So it would be the similar answer that
7 I gave before. One of their officials wrote about it
8 that she suffered from it. And I've read some
9 material about that. But whether they've made
10 statements about EMI/EMF I don't recall.

11 Q. You don't recall if they have endorsed
12 EMI/EMS organization-wide as a medically recognized
13 etiology, you don't know?

14 A. I don't recall.

15 Q. Okay. Now, EMI/EMS, have you -- and you
16 reviewed the articles, the scientific articles, and
17 you've reviewed several of them. Is there any blurb,
18 any mention saying that we conclude this and we're
19 going to say EMI/EMS should be a medically recognized
20 disease? Have you encountered any such language in
21 your review of the literature?

22 A. In the paper that I cite under 5.a.i. --

23 Q. And this is Exhibit 6?

24 A. Yes. The authors state that "Non-ionizing
25 electromagnetic fields are among the fastest growing

60

1 American Medical Association. What does this study
2 say in particular that relates to my series of
3 questions?

4 A. It's some recognition of the American Medical
5 Association of the problem of electromagnetic
6 radiation from cell phones.

7 Q. In your summary that you have before you,
8 does it cast EMI/EMS as a recognized medical disease?

9 A. I don't know.

10 Q. Do they cast it in terms of a medically
11 recognized diagnosis, this AMA article?

12 A. I don't recall.

13 Q. And for this AMA article, did they cast
14 EMI/EMS as a medically recognized etiology?

15 A. They recognized an etiology of electrical
16 sensitivity.

17 Q. I know you're looking at a summary. Can you
18 just read out that summary that you were looking at in
19 support of that answer.

20 A. A 50-minute cell phone call increased
21 metabolism in the regions closest to the phone
22 antenna. And it gives certain regions, the
23 orbitofrontal cortex and temporal pole, and describes
24 that these are involved in "sensory integration,
25 language, decision-making, and social and emotional

59

1 forms of environmental pollution." And pollution
2 causes illness. But I'm not recalling whether they
3 are referring -- whether they specifically talk about
4 EMI/EMS.

5 Q. Okay. And is it also fair to say from what
6 you have quoted that they don't use the words
7 medically recognized disease, medically recognized
8 diagnosis, or medically recognized etiology?

9 A. I don't recall.

10 Q. Okay. Do you recall any study referring or
11 characterizing EMI/EMS in those terms, whether it's
12 disease, diagnosis, etiology, that we're going to
13 medically recognize these aspects?

14 A. My first -- I'm going to give you kind of a
15 longer answer. My first part of the answer, I think
16 it refers to maybe one of your prior questions. And I
17 just wanted to point out that on page 13, under
18 6.h.i. --

19 Q. And this is Exhibit 6?

20 A. Yes. I report on a study published in the
21 Journal of the American Medical Association. And I'm
22 not recalling within that article whether they address
23 the specific question that you just asked me. But
24 that is from the American Medical Association.

25 Q. Okay. So we have an article from the

61

1 processing."

2 Q. In what you've stated to us just now, do you
3 use the words medically recognized etiology?

4 A. No.

5 MR. ROMERO: Okay. Let's go ahead and take
6 our lunch break. Let's come back at one. I have a
7 meeting at noon. And I just want to go somewhere,
8 place an order, and take my conference call. So let's
9 just take a break. We'll be back here at one. And
10 hopefully I can get this done by 3:30, maybe four.
11 And, Herman, I'll give you a call sometime during the
12 lunch hour.

13 (Recess from 11:45 a.m. to 1:00 p.m.)

14 MR. ROMERO: Let's go back on the record.

15 BY MR. ROMERO:

16 Q. Dr. Singer, in looking at your affidavit,
17 Singer Exhibit 5, you discussed certain articles that
18 we styled as your favorites. And look at the
19 affidavit and see those studies that you highlighted
20 and have this question in mind.

21 Were the test results contained in these
22 studies replicated in subsequent scientific studies to
23 your knowledge? And you can just go from start to
24 finish.

25 MR. LOVEJOY: It would help if you would just

62

1 identify the studies you're referring to.

2 BY MR. ROMERO:

3 Q. Okay. Let's go to page 4, No. 28, the
4 Markova study.

5 A. And you're asking me was this replicated in
6 another study?

7 Q. Yeah. The test results.

8 A. I believe that DNA changes have been
9 replicated in other studies.

10 Q. Okay. Let's go to page 5, sub A. Same
11 question, was the test results in this study
12 replicated in subsequent studies?

13 A. I don't know.

14 Q. Same question for sub B on page 5?

15 A. Yes. The results have been replicated at
16 least in general.

17 Q. Okay. Sub C?

18 A. Yes.

19 Q. And for 30A, what we've referenced as the
20 Canadian study?

21 A. Yes, the results were replicated.

22 Q. Okay. And on page 6, sub B?

23 A. Yes.

24 Q. Okay. For page 7, 32B, the Dutch study, have
25 these test results been replicated in subsequent

63

1 studies?

2 A. I would have to check that.

3 Q. Okay. But you don't know right now at this
4 deposition, you don't know if the Dutch study that
5 appears on page 7, Exhibit 5, if those test results
6 were replicated in other scientific studies?

7 A. I'll check.

8 Q. Okay.

9 A. In general I would say the results were
10 replicated.

11 Q. Okay. For the Marino study, that was the
12 study that you looked at but was not an exhibit for
13 this deposition. Do you know if those test results
14 were replicated in subsequent studies?

15 A. I don't know.

16 Q. Okay. Now, for those studies referenced in
17 the affidavit and for those answers where you said the
18 test results were replicated, do any studies come to
19 mind that reflect this subsequent test replication?

20 A. Which study are you referring to?

21 Q. Well, we could just start from the top. For
22 No. 28, on page 4, on Exhibit 5, you said that there
23 was some replication of the test results done on this
24 study. Do you recall the names of those studies?

25 A. No. I don't immediately recall them.

64

1 Q. Okay. For the studies that you said on page
2 5 that the test results were replicated, in subsequent
3 studies do you recall the names of those -- the names
4 of those subsequent studies?

5 A. I don't immediately recall. I would have to
6 do research on that.

7 Q. Okay. And the same for subpoint B on page 6?

8 A. In subpoint B they found eight of ten studies
9 reported increased prevalence of adverse
10 neurobehavioral symptoms in populations living at
11 distances less than 500 meters from base stations. So
12 that I consider a replication.

13 Q. Okay. But are you aware of any subsequent
14 studies to this one in subpoint B that replicated or
15 was able to replicate the test results observed in
16 this study?

17 MR. LOVEJOY: Subsequent to this survey in
18 2010?

19 BY MR. ROMERO:

20 Q. Yes.

21 A. No.

22 Q. And let's talk about the Dutch study that's
23 mentioned on page 7. Do you recall the names of those
24 studies that subsequently replicated the test results?

25 MR. LINDSEY: He said in general the results

65

1 were replicated.

2 BY MR. ROMERO:

3 Q. Yes. Do you recall the names of those
4 studies that replicated the test results in general?

5 A. I don't immediately recall.

6 Q. Okay.

7 A. Except I would say the Marino study
8 replicates that.

9 Q. Okay. And talking about the Marino study,
10 are you aware of any -- the names of any subsequent
11 studies in which the test results in Marino were
12 replicated?

13 A. I don't know or don't recall.

14 Q. Okay. But from your answers today in terms
15 of specific studies, it's your testimony at least to
16 your recollection that it's the Marino study that
17 replicates test results from previous studies?

18 A. Yes.

19 Q. Okay. On Singer Exhibit 5, the affidavit, if
20 we go to the last page, under paragraph No. 40, let me
21 just read aloud the entire paragraph. And it states,
22 "My preliminary opinion is that it is reasonable for
23 Arthur Firstenberg to feel anxiety concerning the
24 potential of microwave radiation exposure.

25 "This anxiety can be experienced as changes

66

1 in heart rhythm which Arthur Firstenberg experiences
2 as an uncomfortable experience. There also may be
3 direct effects of cell phone microwaves on his heart
4 rhythm. I expect to research this matter further in
5 preparation for trial."

6 My question to you, Dr. Singer, is has that
7 research been done?

8 A. Some of it has.

9 Q. Okay. And can you point to where studies
10 have been identified that supports the conclusion that
11 microwave radiation exposure has an effect on heart
12 rhythm.

13 A. In the Navarro, et al., study.

14 Q. Okay. What page are you looking on on
15 Exhibit 6?

16 A. Page 9. The researchers report
17 cardiovascular alterations correlated with exposure to
18 microwave pollution with more symptoms among people
19 the closer they live to the cell phone base
20 transmitting station.

21 In Oberfeld, et al., an association was found
22 with cardiovascular problems and exposure to RF
23 radiation. On page 27, referencing the Altpeter, et
24 al., study, there were abnormalities in cardiovascular
25 function with regard to blood pressure.

67

1 Q. Page 27, which paragraph?

2 A. Page 27, ii.

3 Q. Okay.

4 A. On page 28, item d., Vangelova found
5 association with radiation and cardiovascular function
6 with regard to blood pressure. The next study down by
7 Bortkiewicz, et al., found heart abnormalities in
8 workers exposed to electromagnetic fields.

9 On page 29, item f., it shows vascular
10 changes and cardiac changes with regard to microwave
11 radiation. In item h., page 29, under Frey, in animal
12 studies they were able to induce changes in heart
13 rate.

14 Under i., Levitina, heart rate could be
15 changed with radiation. The same thing with item j.
16 and l. and m. So those are some of the studies. And
17 there are more.

18 Q. Okay. Let's get away from studies for
19 awhile. Let's talk about Mr. Firstenberg's patient
20 history. Did you take a patient history of
21 Mr. Firstenberg?

22 A. I took a history, yes.

23 Q. Did you review Mr. Firstenberg's
24 psychological records?

25 A. What psychological records?

68

1 Q. Were there any psychological records given to
2 you for you to review?

3 A. No.

4 Q. Okay. Are you aware that Mr. Firstenberg had
5 any preexisting psychological conditions?

6 MR. LOVEJOY: You mean just before Dr. Singer
7 saw him?

8 BY MR. ROMERO:

9 Q. Yes.

10 A. Please repeat the question.

11 Q. Are you aware of any preexisting
12 psychological conditions suffered by Mr. Firstenberg?

13 A. No.

14 Q. Okay. So you're not aware if Mr. Firstenberg
15 previously suffered from anxiety?

16 A. I did take a history. And I found no history
17 of treatment for anxiety.

18 Q. Okay. In your review of Mr. Firstenberg's
19 records, and this can include medical records, did you
20 notice or note that he may have suffered from
21 obsessive compulsive disorder?

22 A. Did you have a specific doctor you were
23 referring to?

24 Q. No, I don't. There was a lot of medical
25 records. And that word stood out.

69

1 A. I am not aware that he ever got a diagnosis
2 of obsessive compulsive disorder.

3 Q. Were you aware that Mr. Firstenberg may have
4 been diagnosed with depression?

5 A. I am not aware that he got that diagnosis.
6 However, I will say that people with sensitivity to
7 chemicals or electromagnetic radiation can become
8 depressed. That's possible. Or doctors may think
9 that they're depressed.

10 And also if they're unaware of the widespread
11 distribution of stimulation that could stimulate
12 illness in someone who was chemically sensitive or
13 electrically sensitive, they may view the behavior as
14 obsessive compulsive. But again I'm not aware that he
15 received those as diagnoses.

16 Q. And the same answer with respect to anxiety?

17 A. Yes, same answer. Yes, they can appear to be
18 anxious or they very well can be anxious.

19 Q. Okay. And is the same true that they can
20 very well be obsessive compulsive knowing that they
21 have this EMF condition, is that something --

22 A. Well, I wouldn't call it that. But it could
23 appear to be that way, which is to an observer who is
24 not aware of the distribution of the stimulation that
25 could cause symptoms.

70

1 **Q. Okay. In your intake interviews with**
2 **Mr. Firstenberg, did he mention anything about having**
3 **anxiety, obsessive compulsive disorder, or depression?**

4 A. He told me that at times he can be depressed
5 or be anxious, but it doesn't last that long.

6 **Q. Okay. Did you take a family history?**

7 A. Yes.

8 **Q. And any indication of psychological**
9 **conditions or mental illness?**

10 A. His father's mother and two sisters had some
11 psychiatric problems.

12 **Q. Did he get into specifics of what those**
13 **psychiatric conditions were?**

14 A. No.

15 **Q. And you were unable to determine what those**
16 **illnesses were by reviewing any of his records?**

17 A. Right.

18 **Q. With what information you had regarding his**
19 **family history, did you find that information**
20 **pertinent in formulating your opinions?**

21 A. Mildly pertinent.

22 **Q. Okay. Could you clarify what is mildly**
23 **pertinent.**

24 A. In the days of his father's mother and two
25 sisters -- well, let me think about this for a moment.

71

1 In the days of his mother's father and two
2 sisters, psychiatric problems could be identified
3 sometimes in people that were eccentric and who maybe
4 thought independently. They might be more likely to
5 have some type of anxiety that could result from that.
6 So that's how it's mildly relevant.

7 **Q. Okay. But you couldn't find information to**
8 **corroborate that conclusion?**

9 MR. LOVEJOY: Which conclusion?

10 BY MR. ROMERO:

11 **Q. That this could have been eccentricity,**
12 **people who are nonconformists, people who are just**
13 **misunderstood.**

14 A. It's not exactly confirmation. But the
15 supporting sort of ideas -- again this is not that --
16 these are not critical ideas for my opinion. But
17 Mr. Firstenberg's IQ is very high. Even to this day,
18 it's very high.

19 And he -- his achievement in college was very
20 high, majoring in mathematics and classics. I think
21 he was really very bright. And being that bright
22 chances are he had genetic ancestors that were also
23 very bright. Being very bright is also eccentric.

24 **Q. Okay. Kind of like an Einstein, unusual**
25 **habits, unusual ways of expression?**

72

1 A. That's right. I mean that too. But also
2 just being that far out on the extreme of intelligence
3 places you in an unusual category.

4 **Q. Okay. But from what Mr. Firstenberg told you**
5 **and in the review of your records, you don't know if**
6 **these people were highly intelligent, also eccentric,**
7 **or whether there was a psychiatric concern?**

8 A. I don't know.

9 **Q. So you're just guessing at what it could be?**

10 A. I would say it's in the realm of guessing. I
11 don't know.

12 **Q. Okay. That's fine. Did you inquire about**
13 **Mr. Firstenberg's political activism with respect to**
14 **the issue of EMS?**

15 A. I don't think I inquired about that.

16 **Q. Okay. For the opinions you have rendered in**
17 **this case, would you attach any significance to his**
18 **political activism in spreading awareness of EMS/EMI?**

19 A. To me it seems like his activism on this part
20 is a way for him to have integrity with himself;
21 because he experiences these symptoms, he knows other
22 people do. And he would like to help people who are
23 suffering from this and also prevent other -- prevent
24 the general population from incurring more illnesses.

25 **Q. Okay. Now, that assumes he has a valid**

73

1 **illness?**

2 A. He certainly has an illness, yes.

3 **Q. Okay. But what I'm saying is if he has a**
4 **valid illness, then his activism in spreading**
5 **awareness naturally flows from that?**

6 A. Yes.

7 **Q. What about the instance in the other, that he**
8 **has to -- where he conducts these political activities**
9 **to justify in his mind that he has an illness?**

10 MR. LOVEJOY: I didn't understand the
11 question. Are you asking him whether he agrees with
12 that?

13 BY MR. ROMERO:

14 **Q. Is that a possible concern, that he conducts**
15 **these political activities, spreading awareness of**
16 **EMS, to justify in his mind that he has an illness?**

17 A. My experience with Mr. Firstenberg is that he
18 would much prefer not to have this illness and to
19 return to a normal way of life. So I don't feel that
20 his political activism is a justification of an unreal
21 illness.

22 **Q. Okay. You didn't consider that possibility**
23 **in formulating your opinions?**

24 A. I probably considered it and dismissed it.

25 **Q. And why did you dismiss it?**

74

1 A. Because the data doesn't support that.

2 Q. Okay. Did you inquire about

3 Mr. Firstenberg's relationship with Raphaela Monribo?

4 And Ms. Monribo was the neighbor, was the woman who

5 cooked meals for him. Did you inquire about that?

6 A. Yes.

7 Q. And did you attach any significance of this

8 relationship which I think was that of friendship and

9 then soured in formulating your opinion?

10 A. Please repeat the question.

11 Q. Did you attach any significance to their

12 relationship with formulating your opinions?

13 A. No. I considered it. But ultimately it was

14 not relevant for my opinion.

15 Q. Okay. You didn't consider the possibility

16 that, because their relationship went south as a

17 result of the case, that he had a motivation to -- a

18 motivation that somehow justified his illness in this

19 case? I could repeat that.

20 Since their relationship soured, did you

21 consider the possibility that Mr. Firstenberg's

22 condition was due in part out of spite or animus

23 against Raphaela Monribo?

24 A. Well, their relationship went bad when

25 Arthur -- when Mr. Firstenberg developed an illness as

75

1 a result of her bringing in the radiation emitters.

2 So that preceded -- that preceded -- that was the

3 precedent for everything else.

4 Q. Okay. In terms of Mr. Firstenberg's suit

5 against Ms. Monribo, you didn't consider the

6 possibility that his views towards her, whether ill

7 will or spite or revenge, whatever, played any role in

8 his continued symptoms?

9 A. I suppose I considered those possibilities.

10 I did move them around in my mind, this one and the

11 one that we've discussed prior. But his -- basically

12 his illness, his total illness preceded his

13 relationship with Ms. Monribo.

14 And now that she doesn't have the equipment

15 in that house, he's feeling better in that house. So

16 if he was trying to do something out of spite or

17 revenge, then I would suppose you would think he would

18 still be sick, he would be complaining of more

19 symptoms and, you know, worse illness. But that's not

20 what he's saying.

21 Q. Okay. So to the best of your knowledge, his

22 decision to file suit was not based on ill will or

23 spite or a sense of revenge to get back at

24 Ms. Monribo?

25 A. Right.

76

1 Q. And that's because he has a valid illness and

2 he is just coping with that illness?

3 A. Well, that's part of it. He's also never --

4 I don't think he's ever said anything negative to me

5 about Ms. Monribo. He never, you know, said she's

6 this, she's that, I hate her, or anything like that.

7 We've had a quite a bit of discussions. And he could

8 have -- he could have expressed some personal

9 animosity towards her. And he never did to me.

10 Q. Okay. Going back to the political activism,

11 and I just use that word, you know, loosely because,

12 you know, he spreads awareness, he has a website, he

13 organizes protests, he goes to hearings, he meets with

14 people. And when I say political activism, I mean all

15 these activities.

16 Did you ever consider the possibility that

17 his illness was a self-fulfilling prophecy to justify

18 his political activism?

19 A. Yes.

20 Q. And what was your conclusion?

21 A. I rejected that as a valid conclusion.

22 Q. Okay. So it was not in your mind a

23 self-fulfilling prophecy?

24 A. Correct.

25 Q. And what was the reasoning behind rejecting

77

1 that notion?

2 A. He doesn't fit the psychological picture of

3 someone who would seek out the expression of illness

4 as a justification.

5 Q. Okay. And why is that?

6 A. In my evaluations of him, he appears to be --

7 to have a fairly normal psychological makeup. And

8 that when he is not around the radiation and when he's

9 not around chemicals that disturb him, which he's been

10 able to manage his life so that he can tolerate

11 chemically most normal environments, he doesn't seem

12 to have a problem with that anymore.

13 So he can manage that and not feel ill. But

14 with the EMFs, that's a more pervasive problem that

15 he -- that's getting near the limits of what he can

16 manage. So he bought -- I found a safe house where he

17 could live. And he was living in it comfortably and

18 there wasn't any problems.

19 Q. Okay. Let's go to Singer Exhibit 5, the

20 first page, and paragraph No. 5. And I'll just read

21 aloud what it says. "Symptoms of neurotoxicity often

22 include dysfunctions of memory, concentration" --

23 A. Let's see. Are you on page 5?

24 Q. Actually Exhibit 5, page 1.

25 A. I'm sorry. This is the affidavit. Okay.

78

1 Got it.

2 **Q. "Symptoms of neurotoxicity often include**
3 **dysfunctions of memory, concentration, learning,**
4 **emotion, personality, and sleep." That's correct,**
5 **right?**

6 A. Yes.

7 **Q. These are narrow toxic symptoms?**

8 A. Yes.

9 **Q. Okay. Are these symptoms listed in paragraph**
10 **5, are they the same kind of symptoms typically found**
11 **like in anxiety?**

12 A. Anxiety can impact these symptoms.

13 **Q. What about porphyria, what is porphyria, do**
14 **you know what that is?**

15 A. Porphyria?

16 **Q. Yes. Porphyria.**

17 A. Yeah. That is a condition related to the
18 liver and the either excess production or secretion of
19 porphyrins.

20 **Q. And are symptoms of porphyria, do they**
21 **include dysfunctions of memory, concentration,**
22 **learning, emotion, personality, and sleep?**

23 A. I think that there are different types of
24 porphyria and there's differing causes of porphyria.
25 For example, porphyria can be caused by neurotoxic

79

1 substances. So it can cause these symptoms.

2 **Q. Okay. What about multiple chemical**
3 **sensitivity? You testified that you have treated**
4 **patients with this condition. Do they exhibit the**
5 **same symptoms as listed in No. 5 of your affidavit?**

6 A. Some do.

7 **Q. Okay. What about somatization, do symptoms**
8 **include dysfunctions of memory, concentration,**
9 **learning, emotion, personality, and sleep?**

10 MR. LOVEJOY: You're asking if those are
11 symptoms of somatization?

12 MR. ROMERO: Yes. Did I mispronounce it?

13 MR. LOVEJOY: No. It's not the pronunciation
14 I'm wondering about.

15 THE WITNESS: Somatization is a diagnosis
16 that seems to be relied upon when sometimes a true
17 diagnosis is missed. It's kind of a loose term.

18 BY MR. ROMERO:

19 **Q. Okay. But it is a psychological disorder,**
20 **right?**

21 A. It can be, yes.

22 **Q. Okay. And in those cases where somatization,**
23 **psychological disorder, for those suffering from that,**
24 **do they have dysfunctions of memory, concentration,**
25 **learning, emotion, personality, and sleep?**

80

1 A. If a doctor is diagnosing somatization, then
2 they have in mind the symptoms that are resulting from
3 the somatization. And in their mind any symptom can
4 result from it.

5 **Q. And would those symptoms include dysfunctions**
6 **of memory, concentration, learning, emotion,**
7 **personality, and sleep?**

8 A. Any symptom or any illness or any disease
9 could be considered by someone making that diagnosis
10 of somatization.

11 **Q. So that would necessarily include the**
12 **symptoms you mentioned in paragraph 5 of your**
13 **affidavit?**

14 A. Yes.

15 **Q. What is anticipatory anxiety?**

16 A. That's anxiety experiencing in anticipation
17 of a future event.

18 **Q. Okay. So to use the expression the sword**
19 **hanging over your head, you're anxious because, well,**
20 **there's a sword over my head and it could fall on me**
21 **any time. Is that a good description of anticipatory**
22 **anxiety?**

23 A. That is a description.

24 **Q. A metaphor, how about that. Now, does**
25 **symptoms of anticipatory anxiety, would they include**

81

1 **functions of memory, concentration, learning, emotion,**
2 **personality, and sleep?**

3 A. It depends on various factors. But you're
4 asking me could it?

5 **Q. Yes. Could it?**

6 A. Yes, there are certain conditions it could.

7 **Q. Mr. Firstenberg, was he involved in a motor**
8 **vehicle accident?**

9 A. Yes.

10 **Q. And did he obtain a head injury?**

11 A. I haven't seen the records. But according to
12 his history, he said that he had -- he may have lost
13 or he did lose consciousness for an indeterminant
14 period of time, less than 15 minutes. He doesn't know
15 how long. And he said that he had no symptoms of a
16 brain injury after that.

17 **Q. Okay. Did he seek medical treatment for this**
18 **head injury?**

19 A. I think he was taken to a hospital. And I
20 think that was it.

21 **Q. Okay. Does the history that you reviewed**
22 **indicate that he refused an x-ray or refused to have**
23 **x-rays taken of him?**

24 A. I don't recall.

25 **Q. Okay. How did you find out about this motor**

82

1 vehicle accident, this loss of consciousness?

2 A. I asked Mr. Firstenberg.

3 Q. And you reviewed no record of this motor
4 vehicle accident?

5 A. Correct.

6 Q. You don't know whether Mr. Firstenberg saw a
7 neurologist?

8 A. I was going to double-check to make sure.

9 Q. Okay.

10 A. I don't recall seeing any medical record of
11 that accident. And I'm not aware that he saw a
12 neurologist outside of who he may have seen at the
13 hospital where he went for his initial treatment.

14 Q. Okay. Let me hand you another exhibit. Hold
15 on. Actually you already have it. It's the May 2011
16 report, page 42.

17 MR. LOVEJOY: Exhibit 4.

18 BY MR. ROMERO:

19 Q. Yes.

20 A. Okay.

21 Q. I think it's the fourth paragraph down. It
22 starts off "In a car accident." And I'll read the
23 rest of it. "Hit head, was unconscious with amnesia
24 for 15 to 30 minutes before accident, stated that this
25 didn't affect his memory and concentration." So the

84

1 A. He stated the maximum was 15 minutes.

2 Q. Okay. Let me put it this way, if someone is
3 unconscious for 15 minutes, would you consider that a
4 significant injury?

5 A. He had amnesia for that time period. So I'm
6 not really sure if he was unconscious. He doesn't
7 remember. So he hit his head and it reduced his
8 memory for the time period of 15 to 30 minutes. And
9 he says that his memory and concentration was not
10 affected by that. And it would have been nice for me
11 to get some of those records, but I didn't get them.

12 Q. Okay. But you would consider that a serious
13 injury, if someone is hit in the head and can't
14 remember a thing for 15 minutes?

15 A. Well, he couldn't remember what had happened
16 in the prior 15 minutes, while in the immediate moment
17 he would remember. So when he was --

18 Q. But wouldn't you agree with me that this
19 would be -- this is a serious head injury if he has
20 any memory loss?

21 A. It could be.

22 Q. It would bear further investigation, wouldn't
23 it?

24 A. Like I've said it would be nice to get those
25 records. But, on the other hand, he didn't -- he

83

1 only information you have of this is what
2 Mr. Firstenberg told you; is that correct?

3 A. Yes.

4 Q. And you reviewed no medical records relating
5 to any hospital visits associated with this motor
6 vehicle accident?

7 A. Yes.

8 Q. Okay. And he said nothing else about this?

9 A. Nothing -- I'm not recalling anything except
10 what I previously stated was he thought he may have
11 been unconscious for a maximum of 15 minutes.

12 Q. Okay. Did you find this event significant in
13 your report?

14 A. No.

15 Q. You didn't feel the need for further
16 investigation?

17 A. No.

18 Q. If a person is unconscious for a half hour,
19 did you consider that to be a significant injury?

20 MR. LOVEJOY: Okay. Go ahead. It's an
21 expert question.

22 THE WITNESS: That's not what Arthur had
23 stated to me.

24 BY MR. ROMERO:

25 Q. Okay.

85

1 didn't have any follow-up treatment after that. So it
2 seemed to me that the long-term effects were minimal
3 from that.

4 Q. But Mr. Firstenberg is not a medical doctor;
5 is that correct?

6 A. He's not a medical doctor. He had I think
7 almost three years of medical school. And he's highly
8 intelligent. And he's very aware of his internal
9 states in terms of symptoms and illnesses. So I would
10 think that he -- I would think that if he had a
11 residual effect, he would have sought out some
12 assistance for that.

13 Q. Okay. Mr. Firstenberg, while intelligent,
14 while having some medical school experience, he's not
15 a neurologist?

16 A. He's not a neurologist.

17 Q. And most people without an M.D. or a medical
18 specialty, they can't treat themselves; is that
19 correct?

20 A. It depends on the circumstance.

21 Q. But it seems to me that he made a unilateral
22 decision not to seek treatment for this head injury?

23 A. That again I don't know. I don't know if his
24 doctors -- I don't know if at the hospital they said
25 you need to see someone after this or not.

86

1 Q. And he didn't tell you one way or the other?
2 A. Right.
3 Q. And because you lacked this information, you
4 don't have any opinion on whether this should, you
5 know, should be investigated further, whether it would
6 impact any of the opinions you made in this case?

7 A. I would like to get the medical records, if I
8 could, to review it.

9 Q. Okay. To confirm?

10 A. To review.

11 Q. Okay. Now, given this description of the
12 head injury, going back to your affidavit, paragraph
13 5, would symptoms of this type of head injury, would
14 they include dysfunctions of memory, concentration,
15 learning, emotion, personality, sleep?

16 A. A head injury can cause this.

17 Q. Okay. How many times have you met Arthur
18 Firstenberg?

19 A. Oh. I don't know, but it was quite a number
20 of times.

21 Q. Okay. And when I say how many times have you
22 met, I mean in a sense of you interviewing him to
23 ascertain his condition? Not just social stuff, not
24 litigation stuff, but stuff you needed to do your
25 diagnosis. How many visits did -- how many doctor

87

1 visits were there?

2 A. I think it was about four visits.

3 Q. And were those visits, did they include
4 interviews?

5 A. Yes.

6 Q. Okay.

7 A. Interviews or observations.

8 Q. Okay. And how long were these interviews
9 usually? I know you guys charge by the hour. Was it
10 just an hour? How long were each of these visits?

11 A. Well, without going into my notes, my general
12 recollection of what happened is that Mr. Firstenberg
13 came out and had an initial diagnostic interview. And
14 that could be maybe two hours.

15 And then we probably scheduled an appointment
16 for him to come back for the testing. He came back
17 for the testing. And that usually involves some
18 additional interviewing and then some testing.

19 And then the testing was interrupted. So he
20 had to come back one or two more days after that. And
21 each time there would be some interviewing and
22 observations and then testing.

23 Q. Okay. And for these interviews, these
24 visits, you took notes, right?

25 A. Well, for most of them I did.

88

1 Q. Okay. Let me grab an exhibit. These were
2 produced in discovery from Mr. Lovejoy. And we'll
3 mark this as Exhibit 7.

4 (Singer Exhibit No. 7 marked.)

5 BY MR. ROMERO:

6 Q. And I'll hand it to you. I'll just ask, are
7 these your notes, your interview notes?

8 A. I think these are all my notes in the case.

9 Q. Okay. I'll just represent that I think
10 Mr. Lovejoy submitted these to us earlier in the year.
11 But it's your testimony these are all of the notes you
12 took in this case for Arthur Firstenberg?

13 A. It also includes some notes by my
14 neuropsychology associate. And I don't think I have
15 other notes in the computer because I might have taken
16 notes and put them in the computer after Arthur --
17 after Mr. Firstenberg left. But these are my
18 handwritten notes.

19 Q. Okay. And to the best of your knowledge,
20 these are all your handwritten notes?

21 A. Yes.

22 Q. Who is your associate, what is her name?

23 A. Kymberly Johnson.

24 Q. Okay. And what does Ms. Johnson do for you?

25 A. She performs neuropsychological testing under

89

1 my supervision.

2 Q. And what was her role in this case?

3 A. She performed some testing on
4 Mr. Firstenberg.

5 Q. Do you recall which kind of testing she did?

6 A. Yes, neuropsychological testing.

7 Q. And if you could identify the tests she had.

8 A. For that I would have to look at the raw test
9 data and parse out.

10 Q. Okay. I have that too. And we'll go ahead
11 and mark this Singer Exhibit 8.

12 A. I think this needs to be in some type of
13 sealed condition.

14 MR. ROMERO: Yes. We have it marked
15 confidential. And we have entered an order to that
16 effect. So these are confidential documents. And we
17 will observe the restrictions in the confidentiality
18 order for this. But you are free to discuss this and
19 review these materials for this deposition.

20 And if you could look at these to see what
21 tests Ms. Johnson did. And this is going to be Singer
22 8.

23 (Singer Exhibit No. 8 marked.)

24 BY MR. ROMERO:

25 Q. And I know it's a lot of documents. So just

90

1 take what time you need.

2 A. Okay.

3 Q. Okay. What tests did your associate perform
4 with respect to Mr. Firstenberg?

5 A. She performed all of these except for three
6 of these tests.

7 Q. And which tests were these?

8 A. The Beck Anxiety Inventory, the Beck
9 Depression Inventory, and the Structured Clinical
10 Interview for the DSM.

11 Q. She did all the other testing?

12 A. Yes.

13 Q. That appears in Exhibit 8?

14 A. Yes.

15 Q. What other tasks did your associate do in
16 relation to this case?

17 A. She took some behavioral observations, which
18 are the notes that are attached to my notes.

19 Q. Okay. Did she do anything else in this case?

20 A. No.

21 Q. Okay. And you can refer to your notes for
22 this set of questions. When you interviewed
23 Mr. Firstenberg, what were his reported complaints?
24 It might be easier if you refer to the exhibit.

25 MR. LOVEJOY: Exhibit 7, the notes.

91

1 BY MR. ROMERO:

2 Q. Yes.

3 A. Okay. Please repeat the question.

4 Q. According to your notes, what were
5 Mr. Firstenberg's reported complaints?

6 A. He developed a heart arrhythmia within a few
7 days of Ms. Monribot moving in next door to him. He
8 described his major symptoms as chest sickness, also
9 feeling low back pain, pain in hips, inability to
10 sleep. He started feeling suicidal on waking from nap
11 during day.

12 Feel exhausted symptoms when in house with
13 nausea. He said that when exposed to electromagnetic
14 radiation, he also can -- his breath can be affected
15 as well as his nervous system and his heart. He
16 reports chemical sensitivity.

17 Q. Okay.

18 A. He said that he has some forgetfulness, that
19 he's worse when he's exposed, and that his memory and
20 concentration are affected when he's exposed. He said
21 now, with Raphaela in her house and when he returns,
22 he virtually immediately feels intense discomfort in
23 chest, shaky, irritable, and angry.

24 I don't know if you want me to report
25 symptoms from my observation of him during the

92

1 environmental testing.

2 Q. How about just for the intake interview for
3 now.

4 A. Okay. I think that's pretty much the intake
5 interview. He may have other -- expressed other
6 symptoms during the testing.

7 Q. Okay.

8 A. I could check also, there might be some more.

9 Q. Okay.

10 A. I think that's it.

11 Q. Okay. Let me take a few of these symptoms.
12 And I'm excluding the arrhythmia, the muscular pain
13 ones. And what we have left is inability to sleep,
14 exhaustion, nausea, breathing affected, forgetfulness,
15 memory, concentration when exposed?

16 A. (Witness nods head.)

17 Q. Okay. Are those symptoms typically found in
18 people with anxiety?

19 A. He also reports when he's exposed a
20 difficulty in coping with the situation and anxiety
21 when he's exposed and I guess maybe panicky type of
22 feelings.

23 Q. Okay. So these symptoms I just explained to
24 you, are those symptoms typical of someone with
25 anxiety?

93

1 A. Say that again.

2 Q. Okay. Let me just read off the symptoms.
3 And you might want to write these down. Inability to
4 sleep, exhaustion, nausea, breathing affected,
5 forgetfulness, memory, concentration when affected.

6 A. Under some circumstances anxiety can cause
7 these symptoms.

8 Q. With these symptoms that I have just listed
9 and you've written down, would those symptoms be --
10 would those match up with the symptoms found in
11 porphyria?

12 A. I believe under some porphyria conditions,
13 yes.

14 Q. Okay. What about multiple chemical
15 sensitivity, does someone having multiple chemical
16 sensitivity have the same kind of symptoms as those in
17 that list?

18 A. Someone with multiple chemical sensitivity
19 could have these problems.

20 Q. Okay. What about someone suffering from
21 anticipatory anxiety, would they have these same
22 symptoms as well?

23 A. Well, anticipatory anxiety, that's usually
24 like a time limited event. You anticipate something
25 is going to happen shortly in the future.

94

96

1 Q. Okay.

2 A. So after that time period elapses, then you
3 wouldn't see these symptoms.

4 Q. So anticipatory anxiety is more short term?

5 A. (Witness nods head.)

6 Q. And it's not like a chronic condition like,
7 say, anxiety or multiple chemical sensitivity would
8 be?

9 A. Chronic anxiety would be chronic.

10 Q. Okay. And these symptoms that we've spelled
11 out, they just are ever present in the individual?

12 A. I don't understand the question.

13 Q. Okay. You know, someone with anxiety or
14 multiple chemical sensitivity, they're usually
15 exhausted, they're usually forgetful, they usually
16 have memory or concentration problems, it's not just a
17 quick one thing and then they're better?

18 MR. LOVEJOY: Are you asking as to both
19 phenomena?

20 BY MR. ROMERO:

21 Q. Well, let's break it out with anxiety and
22 then with multiple chemical sensitivity.

23 A. Anxiety can wax and wane.

24 Q. Okay. But it's not as temporary as
25 anticipatory anxiety would be?

95

1 A. Yes.

2 Q. Okay. And the same would hold true for
3 multiple chemical sensitivity, it's not that fleeting,
4 it's something that stays with you?

5 A. It's not that fleeting.

6 Q. Okay. Now, let's talk about the
7 heart-related complaints, the muscle-related
8 complaints. And I believe there's one notation that
9 Mr. Firstenberg experienced shoulder pain. Do you
10 recall that?

11 A. No.

12 Q. But you do record instances regarding his
13 heart, the heart arrhythmia, chest sickness, lower
14 back pain. Now, how does symptoms of neurotoxicity
15 involve these complaints?

16 A. We would have to kind of break it out for
17 each one.

18 Q. Okay. Let's just start with the lower back
19 pain.

20 MR. LOVEJOY: What's the question?

21 BY MR. ROMERO:

22 Q. Is lower back pain a symptom of
23 neurotoxicity?

24 A. It's not a notable symptom of neurotoxicity.
25 It's possible neurotoxicity can cause that, but it's

1 not a typical symptom.

2 Q. Okay. And the typical symptoms are those
3 that you listed in paragraph 5, page 1, in Singer
4 Exhibit 5?

5 A. Those are some of the typical symptoms.

6 Q. Okay. Heart arrhythmia, chest sickness, are
7 those typical neurotoxic symptoms?

8 A. No.

9 Q. Now, there was another instance, I can't
10 remember where in the patient history it's listed.
11 And I believe Mr. Firstenberg was in New York City.
12 And there was an instance where his esophagus, his
13 larynx closed up on him. Is that a typical symptom of
14 neurotoxicity?

15 A. No.

16 Q. Okay. Starting with the heart arrhythmia,
17 what is the neurotoxicological explanation for that
18 symptom?

19 A. Electromagnetic radiation.

20 Q. Okay. How does electromagnetic radiation
21 create a heart arrhythmia?

22 A. Electromagnetic radiation can cause heart
23 rate changes, which is an arrhythmia.

24 Q. Okay. And you're referring to the separate
25 report which is marked as Singer Exhibit 6?

97

1 A. Yes.

2 Q. And what page are you referring to?

3 A. Pages 28, 29, and 30.

4 Q. Now, what's the neurotoxicological
5 explanation for lower back pain, how does EMS cause
6 lower back pain?

7 A. I'm not certain.

8 Q. What's the neurotoxicological explanation of
9 the closing of the esophagus, the larynx, how does EMS
10 close somebody's throat?

11 A. What I can say is that EMS can disrupt the
12 control of the parasympathetic and sympathetic nervous
13 system that could conceivably lead to a dysfunction of
14 the larynx or throat muscles.

15 Q. Is there a study that you have reviewed that
16 makes that conclusion?

17 A. Specifically with regard to throat muscles,
18 no.

19 Q. Okay. I ask that because this seems like an
20 extreme symptom. I mean you wake up, you're asleep,
21 your throat is clogged. Do you find that to be a
22 neurotoxic symptom, would it fit within that?

23 A. It's not a typical neurotoxic symptom. But
24 if the muscle regulation had been impaired, then it
25 could cause that.

98

1 Q. Okay. Are you aware of any instance where a
2 test subject, a patient, a sufferer of EMI/EMS had a
3 similar incident where you had the closing of the
4 esophagus or closing of the larynx due to the presence
5 of EMS?

6 A. Are you reading from a page in my report?

7 Q. No, no.

8 A. That incident is not really clear in my mind.
9 So I've been answering it in general.

10 Q. Yes. It's something I saw in the history.
11 Where exactly -- I know it's there. I made a notation
12 of it because it seemed like to me a very significant
13 or dramatic episode. It's there. And I'll just
14 represent to you it is, I just can't point with
15 specificity.

16 And I think I have best described it as much
17 as I could that he was asleep, he was in his New York
18 City apartment. I believe they had switched over to
19 cell phones or cell towers being activated. And he
20 woke up, he couldn't breathe, and he says his
21 larynx/esophagus was closed up.

22 And I'm just asking you, have you ever
23 encountered in your review of the literature, in your
24 experience as a neurotoxicologist or a
25 neuropsychologist, that EMS can actually do this to a

99

1 person?

2 A. I don't recall.

3 Q. Okay. Let's take a ten-minute break. We're
4 making good time.

5 (Recess.)

6 MR. ROMERO: Let's go back on the record.

7 BY MR. ROMERO:

8 Q. Dr. Singer, what tests have been developed
9 for the diagnosis of EMI or EMS?

10 A. I think that basically, to diagnose these
11 conditions, the doctor runs a battery of tests to rule
12 out other conditions or to test for specific symptoms
13 such as Dr. Elliott tested for the symptoms of his
14 heart pain. And it's important to take a history and
15 look -- determine the relationship between the
16 symptoms and exposure and to carry out basically the
17 diagnosis that I did and Dr. Elliott did.

18 Q. Okay. In your review -- I may have asked you
19 this question. But in your review of the scientific
20 studies on this topic, have there been any accepted
21 tests to rule in EMI or EMS?

22 MR. LOVEJOY: I guess you should define what
23 you mean by generally accepted tests. I mean there's
24 a lot of reports. There's the Marino article could be
25 responsive. I don't know what your question is

100

1 seeking.

2 BY MR. ROMERO:

3 Q. Let me put it this way, in looking at the
4 scientific studies, did you see a consensus among the
5 article authors on how you can test for EMI or EMS?

6 A. I didn't see the consensus other than that
7 the -- it would be important to look at the symptoms
8 that are common with EMI and EMS based on the
9 scientific literature and to evaluate those symptoms.

10 Q. But in the literature that you reviewed, you
11 didn't see the same type of test reappearing?

12 A. There were neurobehavioral tests reappearing,
13 tests of neurobehavioral function.

14 Q. Did you utilize these neurobehavioral tests
15 with respect to Mr. Firstenberg?

16 A. Yes.

17 Q. And what articles did you see these
18 neurobehavioral tests in?

19 A. The articles would include Abdel Rassoul, et
20 al.

21 Q. And are you looking at Exhibit 6?

22 A. Yes.

23 Q. What page?

24 A. Page 9. And neurobehavioral tests were used
25 in the TNO study and other studies.

101

1 Q. And what page did you see that in Exhibit 6?

2 A. Pages 19, 20, and possibly other pages.

3 Q. And what do these neurobehavioral tests look
4 like?

5 A. In the Abdel Rassoul study, they used tests
6 including tests from the Wechsler Intelligence Scale,
7 which is a test that I administered.

8 Q. Okay. And the other study you referenced,
9 what neurobehavioral tests were used there?

10 A. I don't know specifically without looking at
11 the study itself.

12 Q. Okay. Now, were these neurobehavioral tests
13 designed with diagnosing EMI/EMS in mind or were they
14 designed for diagnosing other maladies?

15 A. They were designed to assess neurobehavioral
16 function that could be affected by EMF or other
17 causes.

18 Q. Okay. So it's still a general test?

19 A. Well, there are specific tests. But they're
20 not -- by themselves they're not diagnostic of a
21 particular cause. They have to be seen within a
22 context.

23 Q. Okay. And these tests are like surveys, you
24 fill them out?

25 A. No. They're actual tests of the subject's

102

1 performance.

2 **Q. Okay. So they test dexterity, test balance,**
3 **stuff like that?**

4 A. It can be those things.

5 **Q. And these neurobehavioral tests can be used**
6 **for diagnosing conditions other than EMI/EMS?**

7 A. Yes.

8 **Q. In your review of the literature, have these**
9 **authors, these people who work in this field, have**
10 **they designed a test that is uniquely tailored for**
11 **diagnosing EMS/EMI?**

12 A. The study that we are referring to as the
13 Marino study specifically designed tests for this.

14 **Q. And were those tests found in the Marino**
15 **study, were they utilized in this case, were they**
16 **utilized to test Mr. Firstenberg?**

17 A. Not his exact protocol, no.

18 **Q. Okay. Did Mr. Firstenberg or his counsel**
19 **make any suggestions to you on how he was to be**
20 **tested?**

21 A. With regard to the testing that I
22 administered and the test results as reported in my
23 report of May of last year, Exhibit 5 -- is that
24 correct?

25 **Q. Exhibit 4.**

103

1 A. Exhibit 4. No, there was no instruction or
2 consultation. However, we did discuss how to conduct
3 a provocation type of testing.

4 **Q. Okay. And did Mr. Firstenberg or Mr. Lovejoy**
5 **make any suggestions on this provocation testing?**

6 A. Yes.

7 **Q. And what were their suggestions?**

8 A. Their suggestions were to find a source of
9 stimulation that could be easily administered to
10 Mr. Firstenberg that he could react to without him
11 being harmed by it and which would have a short
12 latency of onset of symptoms and a short latency for
13 the offset of symptoms.

14 **Q. And the other suggestions these two**
15 **individuals made with regard to testing?**

16 A. I think that was basically it. But this is
17 also in response to the court's direction for the
18 necessity of this testing.

19 **Q. Did you make recommendations about testing**
20 **that Mr. Firstenberg or Mr. Lovejoy found**
21 **objectionable?**

22 A. You know, I'm not sure how to answer that
23 except I think I may have suggested certain types of
24 stimulation that maybe wouldn't work. I think that's
25 the answer to your question.

104

1 **Q. Okay. We know the battery of the**
2 **psychological tests that you and your assistant gave**
3 **to Mr. Firstenberg as Exhibit 8. And you talked about**
4 **provocation tests. I think you may have answered**
5 **this, but let's put it directly to a question.**

6 **What was the purpose of this provocation**
7 **test, what were you trying to look for?**

8 A. The purpose was the court requested it.

9 **Q. Okay. And this is the provocation test that**
10 **has yet to occur, right?**

11 A. I have begun working on it. But it has --
12 the test itself that would -- that we would like to
13 submit to the court has not been administered.

14 **Q. Okay. But you have tested Mr. Firstenberg on**
15 **a provocation basis on other occasions, right?**

16 A. Yes.

17 **Q. Can you tell me about those tests, what was**
18 **the first one?**

19 A. Okay. We're going to separate out the
20 accidental provocation versus the intentional
21 provocation, which is what I think you're referring
22 to.

23 **Q. Yeah. Well, how about we talk about both but**
24 **chronologically, which came first, which came next,**
25 **and we'll just go from there.**

105

1 A. Well, the first were accidental provocations,
2 accidental and unplanned.

3 **Q. Okay. What were the circumstances as to this**
4 **first accidental provocation test?**

5 A. The first of the accidental provocation, I
6 wouldn't call it exactly a test because it was more of
7 an observation is described in my report beginning on
8 page 6.

9 **Q. This is Exhibit 4?**

10 A. Yes. Where Mr. Firstenberg was being tested
11 by Kymberly Johnson. And the test was going along
12 fine until at some point when Mr. Firstenberg reported
13 his heart rate was elevated, that he couldn't
14 concentrate, he couldn't continue.

15 And then they found a device that was
16 apparently transmitting wireless signals in the house.
17 They turned it off. And then an hour later he felt
18 normal, fairly normal. So that was the first instance
19 of an occasion of an accidental provocation.

20 **Q. Now, let's stop there. You did not intend to**
21 **conduct a provocation test on Mr. Firstenberg in this**
22 **instance?**

23 A. Correct.

24 **Q. And it just happened that you made the**
25 **observations you made when they occurred, it was**

106

1 **happenstance?**

2 A. Yes.

3 **Q. And for this first accidental provocation**
4 **test, you weren't trying to conduct a double-blind**
5 **test?**

6 A. We weren't trying to, but it was
7 double-blind.

8 **Q. Okay. Now, to have a double-blind test,**
9 **wouldn't you need to intend to have a double-blind**
10 **test?**

11 A. It was double-blind in the sense that neither
12 the experimenter nor the examiner nor the subject knew
13 that the stimulation was present. To call it a test
14 is -- I'm not sure if that's stretching the word.
15 Because it wasn't designed that way, it just happened
16 that way.

17 **Q. Okay. But you're attributing features of a**
18 **double-blind test to this accidental observation?**

19 A. Yes.

20 **Q. Okay. But it's your testimony that you**
21 **didn't plan it that way. Mr. Firstenberg was there**
22 **for other reasons. And these observations occurred in**
23 **the manner they did?**

24 A. Correct.

25 **Q. But it's your testimony that you were not**

107

1 **conducting a double-blind test?**

2 A. Yeah. I think that's accurate. It was not a
3 double-blind test. But it was a double-blind
4 observation.

5 **Q. A double-blind result?**

6 A. It was a double-blind situation.

7 **Q. Okay. Tell us about the next provocation**
8 **test study. Was that intentional or was that**
9 **accidental?**

10 A. Accidental.

11 **Q. Okay. And tell us about that.**

12 A. I had -- Ms. Johnson was conducting the
13 testing of Mr. Firstenberg. And I had removed all
14 sources of electromagnetic radiation that I could. I
15 instructed everybody around the premises to not use
16 their cell phones.

17 And fortunately or unfortunately we had a
18 recalcitrant teenager who was testing the limits I
19 guess. And he was off the premises using his cell
20 phone. And I guess that was all right, but then he
21 kept using it coming back to the premises.

22 And the testing had been going on for over an
23 hour. I would have to read this more carefully. It
24 looks like an hour and 40 minutes.

25 **Q. And you're still on page 6 of your May 2011**

108

1 **report?**

2 A. Yeah. I moved to page 7.

3 **Q. Okay. You're on page 7 now.**

4 A. Yeah. And at some point during the testing,
5 after the testing was going well, Mr. Firstenberg
6 started to fail certain items, get agitated, and said,
7 "I can't concentrate any longer."

8 And we made inquiries around to see if a cell
9 phone was being used. And we found that was
10 happening. And then I checked the cell phone for the
11 time of the transmission of signals.

12 And it appeared that when he was getting
13 closer to the premises and making more texts and then
14 at one point he sent a longer text, it was at least
15 four times as long as the prior text and he was
16 closest to the premises, that seemed to be the time
17 when Mr. Firstenberg was getting agitated.

18 **Q. Okay. You said this was an unintentional**
19 **provocation test?**

20 A. Unintentional provocation observation.

21 **Q. Observation. Not a test?**

22 A. Yeah. I don't think we could call it a test,
23 because a test I think implies premeditation and
24 planning.

25 **Q. Okay. And the reason why Mr. Firstenberg was**

109

1 **there, he was there to fill out the tests that**
2 **comprise Exhibit 8?**

3 A. He was there being tested with the tests
4 comprising Exhibit 8.

5 **Q. And the fact that you made a concerted effort**
6 **to turn off any electronic device in the area in your**
7 **office, that was meant as an accommodation to him so**
8 **he could fill out these tests that comprise Exhibit 8?**

9 A. To fill out tests and to be tested, yes.

10 **Q. Okay. And when you say to be tested, it's**
11 **just the diagnostic tests, the paper tests that are**
12 **found in Exhibit 8?**

13 A. The paper is a record of the tests.

14 **Q. Right. But you turned off everything that**
15 **could emit a signal so that he could fill out and**
16 **participate in the testing that comprised Exhibit 8?**

17 A. Yes.

18 **Q. The purpose of turning off all the electrical**
19 **devices was not to conduct a provocation test?**

20 A. Correct.

21 **Q. Okay. And tell me about the next provocation**
22 **test.**

23 A. The next provocation was when I was an
24 observer at Mr. Firstenberg's house when engineer Sal
25 LaDuca was testing his home for radiation from the

110

1 neighbor's home.

2 **Q. And this is page 8 on Singer Exhibit 4?**

3 A. Yes.

4 **Q. Okay. And did you premeditate a provocation**
5 **test this time around or was it still accidental?**

6 A. The first episodes on this day were
7 accidental and the final one was planned.

8 **Q. Okay. Let's --**

9 A. Accidental may be too strong a word in that
10 we knew that the units were going to be turned on.
11 And I was watching to see what would happen.

12 **Q. Okay. So those weren't double-blind tests?**

13 A. Were not.

14 **Q. Okay. Because you knew things were being**
15 **turned on and Mr. Firstenberg knew they were being**
16 **turned on?**

17 A. Yes.

18 **Q. Okay. Now, for the LaDuca electrical**
19 **inspection, which of those tests fit that criteria,**
20 **where you guys knew it was being turned on? Can you**
21 **just explain which of these go into that category.**
22 **And then we'll talk about the intentional double-blind**
23 **test that happened in the end.**

24 A. At 11:40 a.m. -- let me go back. At
25 11:34 a.m. I observed Mr. Firstenberg's eyes were red

111

1 and watery. I asked him how he was feeling. "He
2 stated that he was in discomfort, including pain in
3 the lateral groin area. At 11:40 a.m. Mr. Firstenberg
4 reported that he thought someone was using an iPhone
5 with broadband 900 range from the direction of his
6 neighbor's house."

7 At 11:44 a.m. he arose from his chair, left
8 the premises, went outside. He was "agitated,
9 nervous, and jumpy." We sent the engineer,
10 Mr. LaDuca, to the neighbor's house. "And the
11 engineer confirmed that microwave wireless
12 transmission was occurring from equipment in use at
13 the neighbor's house."

14 **Q. And this was an unintentional test?**

15 A. This was unintentional in that we -- if my
16 memory serves me well on this, we were understanding
17 that there was no equipment operating at her house at
18 that time, that it was not supposed to be operating.
19 But he had this reaction. And then we discovered that
20 it was operating.

21 **Q. But for this instance where you and**
22 **Mr. Firstenberg thought nothing was on and it turns**
23 **out something was on, that that wasn't premeditated,**
24 **that was another happenstance?**

25 A. Correct.

112

1 **Q. Okay. Go on. What was the next provocation?**

2 A. So then the power to the house was turned off
3 and the equipment was turned off. And then he started
4 to feel better. But that was not blinded at all
5 because we both knew that the equipment was turned
6 off.

7 **Q. Okay.**

8 A. The next instance was a deliberate turning on
9 of the microcell tower, iPhone charger, and modem at
10 around three p.m. And at three p.m. I asked
11 Mr. Firstenberg -- or he reported he wasn't feeling
12 well. And he kept saying he wasn't feeling well.
13 He went to lay down on his bed. By 3:17 he's
14 agitated. And by 3:18 he leaves and he goes to the
15 neighbor's house. And I was not observing him at that
16 point.

17 At 3:35 he's back on the premises and he's
18 saying -- or 3:30 he's back on the premises. At 3:35
19 he's agitated, exiting the premises, looking anxious.
20 And then he leaves the premises. And at 3:50 he
21 returns to the house.

22 And the iPhone was not on, the microcell
23 tower was. He states he feels really bad. At 3:51
24 the microcell tower was disconnected. At 3:52 the
25 iPhone was not connected to the charger. And

113

1 Mr. Firstenberg states that he feels better.

2 **Q. Okay. Now, with these series of incidences,**
3 **we're still talking about the same one test, right?**

4 A. The same day.

5 **Q. Okay. Now, whose idea was it to have this**
6 **provocation test? I'm taking it you're saying that**
7 **this provocation was different in that it was not**
8 **accidental?**

9 A. Yes.

10 **Q. Okay. So how did this come about, did you**
11 **and Mr. LaDuca say, okay, turn stuff on, don't tell**
12 **us, I mean how did that transpire?**

13 A. I didn't have any role in how Mr. LaDuca was
14 conducting his studies. I didn't give him no
15 direction on how to do it. We generally knew what he
16 was going to do. And he followed the protocol that he
17 was following.

18 **Q. Now, he was conducting tests for his own**
19 **expert opinion; is that correct?**

20 A. Yes.

21 **Q. Okay. And for these series of tests that**
22 **you've just mentioned, that was for his work?**

23 A. Well, yes, except for my observations was for
24 my work.

25 **Q. Okay. But you didn't discuss with Mr. LaDuca**

114

1 and say, okay, this would be a good time to do a
2 provocation challenge so, from this time forward, you
3 turn stuff on and off. Don't tell us about it and
4 don't -- you know, don't tell us what you're going to
5 do when you do it. And after some passage of time,
6 we'll tell you to stop doing that. Did you have that
7 conversation with Mr. LaDuca?

8 A. No.

9 Q. And the testing that he did in this time
10 period, that was not for an intentional provocation
11 challenge?

12 MR. LOVEJOY: Are you talking about like the
13 three o'clock to four o'clock testing on page 9?

14 BY MR. ROMERO:

15 Q. Yes.

16 A. Yes.

17 Q. So in Mr. LaDuca's mind, he had no idea he
18 was doing a provocation test for you?

19 A. I didn't intend to put that in his mind.

20 Q. Okay. Do you know if Mr. Firstenberg
21 requested that he do an intentional provocation
22 challenge for your benefit?

23 A. I don't know.

24 Q. Okay. So no double-blind study was
25 undertaken by you, Mr. LaDuca, and Mr. Firstenberg for

115

1 this time period, from three to four?

2 A. Yes.

3 Q. Okay. Were there any other provocation tests
4 after that?

5 A. Yes.

6 Q. And was this intentional or accidental?

7 A. Intentional.

8 Q. Okay. Now, what were the circumstances
9 leading up to this test, did you have a discussion
10 with Mr. LaDuca as to, okay, now it's our turn, I need
11 to do my work for my expert opinion, can you turn
12 stuff on and off without telling me, without
13 Mr. Firstenberg seeing, and then we'll get back to
14 you, did you have any of those type of conversations?

15 A. I don't think so. I think I just told him --
16 I ascertained with him everything was off and asked
17 him to desist in turning anything on.

18 Q. And you didn't tell him why?

19 A. I don't recall.

20 Q. Okay. Go ahead and explain to me this final
21 test. What was turned on, what was Mr. Firstenberg's
22 reaction to them?

23 A. At "4:06 p.m. I requested that the neighbor's
24 cell phone transmission equipment be shut off,
25 including the modem, iPhone, charger, and the

116

1 microcell tower; and that they all be turned on at
2 random once" --

3 Q. And you're reading on page 10 on Singer
4 Exhibit 4?

5 A. Yes. -- "before 4:40 p.m." And so we did
6 that. And then at "4:07 p.m. Mr. Firstenberg reported
7 feeling some unspecified symptoms." At 4:09 he's
8 saying he doesn't feel well. "He reports heart
9 sensations, a catch in his throat, a symptom like
10 light-headedness but not. Mr. Firstenberg expresses
11 to me that he is unsure if he's actually reacting to
12 microwave radiation or to his anxiety about potential
13 radiation."

14 At 4:12 he's getting agitated. "He said he
15 felt like he was suffering from anxiety also." At
16 4:13 he leaves the house. He's feeling bad. At 4:16
17 to 4:20 he's still out of the house. At 4:25 I walk
18 outside the house to check on him.

19 He was outside the front door. "He stated
20 that he had had a headache for the last five minutes,
21 and that the headache was cumulative from the day.
22 'Headaches are not an early symptom, but I got one
23 now'." So it seemed like he's stating that he had a
24 headache at 4:20.

25 Q. Okay.

117

1 A. At 4:29 he states he has "a really bad
2 headache." And he leaves the premises to walk out on
3 the streets. And I go with him. At 4:30 he states to
4 me -- Mr. Firstenberg states to me "that the
5 neighbor's microcell tower had been on for at least
6 ten minutes." He said that his headache was relieved
7 ten minutes from 4:30. So that's 4:20, which is when
8 he identified he had a headache.

9 Q. Okay.

10 A. "He stated that his headache was relieved
11 when he reached the end of the street," which was
12 75 yards away from the neighbor's house. At 4:38 he
13 states, "his headache was diminished." I check his
14 pulse. I observed an irregular rhythm.

15 At 4:41 I checked his pulse again. It seemed
16 normal. At 4:45 we were then informed that at
17 4:20 p.m. the microcell tower, modem, and iPhone
18 charger had been plugged in; and telephone calls were
19 made on the iPhone while it was connected to the
20 iPhone charger. So that's pretty much what happened.

21 Q. Okay. Now, let's go back to 4:09 p.m.
22 Mr. Firstenberg expresses to you, Dr. Singer, "that he
23 is unsure if he is actually reacting to microwave
24 radiation or to his anxiety about the radiation." Is
25 this anticipatory anxiety?

118

1 A. Yes.
 2 Q. Okay. So you told Mr. LaDuca to turn
 3 everything off, turn stuff off -- turn stuff on on a
 4 random basis. And we're just going to watch
 5 Mr. Firstenberg.
 6 A. I'm sorry. Could you repeat that again.
 7 Q. Let me just say it again.
 8 You told Mr. LaDuca to turn off everything,
 9 the devices, and then to turn them on randomly, at
 10 which point you would observe Mr. Firstenberg. And
 11 you wouldn't know when -- you didn't know yourself
 12 when these things were turned on, right?
 13 A. I didn't instruct him to do that.
 14 Q. Okay. What did you instruct Mr. LaDuca to
 15 do?
 16 A. To make sure everything was turned off.
 17 Q. Okay. And you didn't tell him to turn
 18 anything on?
 19 A. Correct.
 20 Q. Who told him to turn stuff on?
 21 A. Are you talking about over the whole day, the
 22 course of the day?
 23 Q. No. I'm talking about the last test.
 24 A. On the last test, I instructed Mr. Lindsay to
 25 go over and to turn the equipment on as I had

119

1 described.
 2 Q. Okay. So it's Mr. Lovejoy --
 3 A. Mr. Lovejoy. Excuse me.
 4 Q. Yeah. Mr. Lovejoy is the one turning
 5 everything off and then turning stuff on randomly?
 6 A. No.
 7 Q. It's Mr. LaDuca?
 8 A. No.
 9 Q. Okay. Tell me again.
 10 A. Mr. LaDuca turned everything off.
 11 Q. He turned everything off.
 12 A. Okay. I instructed Mr. Lovejoy to turn on
 13 certain pieces of equipment one time during that time
 14 period. So it would be random within that -- between
 15 four o'clock and 4:40, whatever the time frame was.
 16 Yeah, between 4:06 and 4:40. I said turn everything
 17 on at once one time.
 18 Q. And he didn't tell you -- and you told him
 19 not to tell you when that was going to happen?
 20 A. Right.
 21 Q. Okay. Did Mr. Firstenberg -- was he aware of
 22 this arrangement?
 23 A. Yes.
 24 Q. Okay. So he knew that everything in the
 25 other house would be turned off, but at some point it

120

1 would be turned on?
 2 A. Yes.
 3 Q. Okay. Now, this note at 4:09, "he is unsure
 4 if he is actually reacting to microwave radiation or
 5 to his anxiety about the radiation," you said that?
 6 A. Yes.
 7 Q. Okay. And when were the things turned on
 8 according to your information?
 9 A. At 4:20 p.m.
 10 Q. Okay. So at 4:09 he says he's reacting, he's
 11 not sure to what, and nothing is on?
 12 A. Correct. But he also was unsure about the
 13 cause of his reactions.
 14 Q. Okay. But at 4:09 Mr. Lovejoy hadn't turned
 15 anything on?
 16 A. Correct.
 17 Q. But he is telling you he's feeling something,
 18 he doesn't know if it's true or false?
 19 A. Yes.
 20 Q. Okay. And when there is a -- then things are
 21 turned on at 4:20. And Mr. Firstenberg is reacting.
 22 He says he had his headache at 4:25. At 4:29 he has a
 23 really bad headache, and he leaves the premises.
 24 A. At 4:25 he -- yeah. At 4:29 he leaves the
 25 premises.

121

1 Q. Okay. Was this due to the anxiety about the
 2 radiation or was he reacting to microwave radiation?
 3 A. It could be due to either. However, when he
 4 stated to me, when Mr. Firstenberg stated to me that
 5 he -- that the neighbor's microcell tower had been on
 6 for at least ten minutes, he said that at 4:30, that
 7 to me pointed to he was sensing that the microcell
 8 tower was turned on at 4:20.
 9 Q. Okay. Now, let me interrupt you again. At
 10 4:09 he says I'm feeling -- "I don't feel well at
 11 all." He tells you he's unsure if he's reacting to
 12 microwave radiation or to his anxiety about the
 13 radiation. At 4:12 he says, "bad, bad here, very bad.
 14 He said he felt like he was suffering from anxiety
 15 also."
 16 So this is 4:12. Nothing is turned on. At
 17 4:20 is when everything is turned on. And at 4:13 he
 18 says he felt bad. And then from 4:16 to 4:20 he's out
 19 of the house.
 20 A. When I went out of the house, he's at the
 21 front door.
 22 Q. Okay. But he left the house?
 23 A. He left the house. He's right out --
 24 standing right outside the front door. It's not a
 25 huge house.

122

1 Q. Okay. I know. But he tells you twice he's
2 not sure if this is about his anxiety. He leaves the
3 house, then everything turns on; is that a fair
4 statement?

5 A. At 4:29 is when he essentially leaves the
6 premises.

7 Q. And he walks around the block?

8 A. Yeah.

9 Q. Okay. And that's nine minutes after the
10 turning on of all things?

11 A. Yes.

12 Q. Okay. But earlier in that hour, he tells
13 you -- and he says he's not feeling well. But he's
14 also telling you he's not sure if it's just anxiety
15 about, you know, being bombarded here. He tells you
16 that?

17 A. Yes.

18 Q. Okay. So how is it that you can conclude
19 that this intentional provocation test worked?

20 A. Because Mr. Firstenberg correctly identified
21 it seems to the minute when the units were turned on.

22 Q. Okay. But he's also saying the same thing
23 before the units were turned on.

24 A. Right. And before the units were turned on,
25 he had symptoms that were I would say more vague and

123

1 which weren't as bad as the symptoms he had at 4:29,
2 when then he really had symptoms. He was sure he had
3 symptoms. And he had to leave to get relief.

4 But prior to that time, he was -- you know,
5 he was feeling bad. He did go to the front door. But
6 after that, at 4:29, he was just really bad. He
7 knew -- he was -- at that point I felt he was sure
8 that he was symptomatic.

9 Q. Okay. What is the time difference from the
10 time he gave you this false positive to the time he
11 gave you a positive reading?

12 MR. LOVEJOY: Object to the form of that
13 question. Go ahead.

14 THE WITNESS: At 4:07 he reports unspecified
15 symptoms.

16 BY MR. ROMERO

17 Q. Okay.

18 A. He's had -- I don't know what he said. But
19 he started to not -- something was happening. And it
20 was not that clear. At 4:09 he looks like he has
21 anxiety. And at 4:20 was when the stimulation was
22 actually turned on. At 4:29 is when it seemed like he
23 was sure about it and he left.

24 Q. Okay. What was the difference between what
25 he complained about before 4:20 versus what he

124

1 complained about at 4:29?

2 A. At 4:29 the difference was he said he had a
3 really bad headache. And he didn't actually look like
4 it was so much anxiety that he was experiencing as he
5 had been previously. He just said, you know, I have a
6 really bad headache and I have to go. And so it
7 seemed different.

8 Q. Okay. At the entry at 4:25 p.m., the second
9 sentence, "He stated that he had had a headache for
10 the last five minutes, and that the headache was
11 cumulative from the day. 'Headaches are not early
12 symptoms, but I've got one now'." And you're still
13 saying that this headache is attributable to the 4:20
14 blast?

15 A. I believe so.

16 Q. Even though he told you that this headache
17 was a cumulative effect to the exposures he received
18 throughout the day?

19 A. It's possible that he had developed some
20 headache during the day. I don't think -- I don't
21 think he complained of that at all during the day.

22 Q. Okay. But he did complain or he did state to
23 you at 4:25 that he had a headache for the last five
24 minutes and that the headache was cumulative from the
25 day. It doesn't say for this entry that I got a

125

1 headache because I just got zapped?

2 A. Yes.

3 Q. He doesn't say that?

4 A. That's correct.

5 Q. Okay.

6 A. Because apparently he didn't know that. But
7 at 4:30 he seemed to be more -- at that point he was
8 more definitive. After he was -- at 4:30, when he was
9 away from the premises, he reflected on his headache.
10 And he said that's what happened. I got a headache
11 from her microcell tower being turned on.

12 Q. Okay. So he got the headache, he attributed
13 it to be cumulative, then changed his opinion of that?

14 A. Yes, because ultimately he said his headache
15 was relieved when he was away from the premises.

16 Q. Okay.

17 A. So if it was cumulative and there was no
18 extra stimulation, then he would have had a worse
19 headache. But if it was -- if, in fact, it was not so
20 much cumulative as due to the stimulation, then his
21 headache would be relieved when he was away from the
22 premises. And that's what happened.

23 Q. Okay. But that's kind of a post hoc
24 observation?

25 A. In a sense it is in that these observations

126

1 were made. It wasn't exactly post hoc in that he --
2 he told me about his headache for ten minutes. I mean
3 it wasn't post hoc. He didn't know when the
4 stimulation actually occurred. So the experiment was
5 still taking place when Mr. Firstenberg had identified
6 when the microcell tower had been turned on.

7 **Q. So let me see if I can summarize this**
8 **accurately. You didn't conduct any intentional**
9 **provocation tests until the very last of that day?**

10 A. (Witness nods head.)

11 **Q. The last test was a double-blind test?**

12 A. (Witness nods head.)

13 **Q. And in three instances or the first two**
14 **instances, he says it might be due to anxiety. Then**
15 **he says it might be due to the cumulative effect. And**
16 **then finally he says, you know what, I think this is**
17 **the real thing. Is that what happened?**

18 A. Yeah, more or less.

19 **Q. Okay. And you're discounting the first three**
20 **observations, two of which being anticipatory anxiety,**
21 **one being a cumulative effect, and you're just**
22 **focusing on the last reported complaint to say that**
23 **the double-blind test was successful?**

24 A. I would say that I was able to observe the
25 anticipatory anxiety. And that gave me insights into

127

1 the situation that Mr. Firstenberg can have
2 anticipatory anxiety and then he is anxious. But he
3 may not be sure whether he's -- what he's
4 experiencing, what it's from. And he might attribute
5 it to microwave radiation, and microwave radiation may
6 not be there.

7 **Q. This was the last provocation test for that**
8 **day?**

9 A. Yes.

10 **Q. Have you conducted any provocation tests on**
11 **Mr. Firstenberg since this LaDuca inspection?**

12 A. Yes.

13 **Q. Okay. Tell me about those. Are they listed**
14 **in your report?**

15 A. No.

16 **Q. Okay. Are they listed in your separate**
17 **report that's in Singer Exhibit 6?**

18 A. No.

19 **Q. Okay. Have you given information on these**
20 **provocation tests to Mr. Lovejoy to give to me or the**
21 **other attorneys in this case?**

22 A. No.

23 **Q. Okay. How many provocation tests are we**
24 **talking about here?**

25 A. What we did was I had --

128

1 **Q. My first question is how many?**

2 A. I would have to look at some notes to try and
3 figure that out.

4 **Q. And were these notes part of Exhibit 7?**

5 A. No.

6 **Q. Okay.**

7 A. They were not entirely -- they weren't
8 conclusive. And the tests, the actual test has not
9 taken place. They were designed to help determine
10 what stimulation would be appropriate for the test.

11 **Q. Okay. And this is in relation to the court**
12 **ordered provocation test. So these were kind of like**
13 **test trials?**

14 A. Kind of like that. We were trying to
15 determine what stimulation would be acceptable to
16 Mr. Firstenberg and yet something that he can detect.

17 **Q. And were these test trials done under**
18 **double-blind testing conditions?**

19 A. No.

20 **Q. Okay. Were any of these tests -- did they**
21 **indicate to you a positive finding?**

22 A. To me they were suggestive but not
23 conclusive.

24 **Q. Okay. For these tests, these trial tests**
25 **let's call them, did you note the presence of**

129

1 **anticipatory anxiety?**

2 A. Sometimes.

3 **Q. Okay. Let me pose a hypothetical for you.**
4 **Say there's three people in this room, you,**
5 **Mr. Firstenberg, and let's just pick on Mr. Lovejoy**
6 **here. Mr. Lovejoy brings his cell phone, forgets to**
7 **turn it off.**

8 **And he's here for a meeting with you guys and**
9 **he remembers. He's like, oh, and he turns it off.**
10 **Okay. So you see that it's on, Mr. Firstenberg sees**
11 **that it's on. He has a reaction, he has some kind of**
12 **symptoms he reports.**

13 **Now, because he sees the cell phone, in your**
14 **mind can that be due to anticipatory anxiety?**

15 A. I was a little confused by your scenario,
16 because initially everyone was blinded to whether the
17 cell phone was on.

18 **Q. Right.**

19 A. Okay. And so now the question is?

20 **Q. The question is no one knows it was on, now**
21 **everybody knows it's on.**

22 A. Everybody knows it's off.

23 **Q. It's now -- well, now turned off.**

24 A. Okay.

25 **Q. But implicit in that is it was on.**

130

1 Mr. Firstenberg experiences a reaction maybe
2 immediately, maybe ten minutes, maybe a half hour down
3 the road. But he gets a reaction, he experiences
4 symptoms. And he tells you that. He sees that it's
5 on. He sees it being turned off. Can you in that
6 instance rule out anticipatory anxiety?

7 A. Yes.

8 Q. How so?

9 A. Because anticipatory anxiety is an anxiety
10 for a future event. And what you described was an
11 event after the stimulus was turned off. So it
12 wouldn't be anticipatory. He's not anticipating that
13 the stimulation was going to come.

14 Q. Okay. But he sees that there is a device
15 that he knows hurts him. And he feels pain and he
16 tells you about the pain. That isn't anticipatory
17 anxiety?

18 A. Not in this instance.

19 Q. In what instance would it be considered
20 anticipatory anxiety in your opinion?

21 A. If Mr. Firstenberg didn't know -- he knows
22 that the cell phone is going to be present. And he
23 doesn't -- and that it could be on.

24 Q. Okay.

25 A. And then he --

131

1 Q. Let's change the hypothetical. I'm in a room
2 with you and Mr. Firstenberg. In arranging this
3 meeting, he asks me to turn off my cell phone. And I
4 say, you know, I can't, I have to -- I'm expecting an
5 important call.

6 And I tell him that the day before. And it's
7 like I'm sorry, you know, I need to take this call.
8 And it's out of town, out of state, whatever. I need
9 to take the call. So he knows I have a live phone.

10 We all talk. He starts experiencing symptoms
11 and complains about them to you and to me. Is that
12 anticipatory anxiety?

13 A. Not necessarily.

14 Q. Okay. Can you have a situation where he
15 knows there's a device and he feels symptoms. Can it
16 be that he has a bona fide symptom that you cannot
17 differentiate or separate out from anticipatory
18 anxiety?

19 A. Yes. That's possible.

20 Q. Okay. And you don't know which is which in
21 that scenario? He knows there's a device on, he's
22 known it for quite sometime. He experiences symptoms.
23 You have no way of knowing whether this is a bona fide
24 symptom or whether this is symptoms brought on by
25 anticipatory anxiety?

132

1 A. I wouldn't know. But if there were maybe
2 additional facts, maybe I would know. But in those
3 bald facts I wouldn't know.

4 Q. You wouldn't know. Okay. Thank you.
5 There's been testimony in this case about a test in
6 which an RF emitting device, an air purifier was used.
7 Were you involved in any testing involving an air
8 purifier?

9 A. No.

10 Q. Do you have any knowledge about such a test?

11 A. No.

12 Q. During the testing, and you can throw in the
13 accidental testing, was there any way you can tell
14 Mr. Firstenberg was exposed to safe versus unsafe
15 levels of electromagnetic radiation?

16 MR. LOVEJOY: What's the testing now, what's
17 the span of time?

18 BY MR. ROMERO:

19 Q. In your accidental testing, your intentional
20 testing during the LaDuca inspection, did you know
21 what was considered safe versus unsafe levels of
22 electromagnetic radiation?

23 A. It seemed unsafe for Mr. Firstenberg.

24 Q. Okay. Do you know what was the difference
25 between, you know, something that was safe and then at

133

1 some point it becomes unsafe?

2 A. No. It seemed to be an all-or-nothing
3 phenomenon.

4 Q. And in ascertaining what was safe versus
5 unsafe levels, did you have to primarily rely on
6 Mr. Firstenberg's self-reported symptoms to you?

7 A. No.

8 Q. You didn't have to primarily rely on what he
9 told you what his symptoms were?

10 A. Right.

11 Q. And why is that?

12 A. Because I was observing him and I observed
13 reactions.

14 Q. Okay. And that was in addition to what he
15 told you? He told you he had a headache, he told you
16 it was bad in here?

17 A. It could be sometimes it was observation
18 first, sometimes it was his reporting symptoms first,
19 sometimes it was simultaneous.

20 Q. For the most part, was it simultaneous?

21 A. You know, I don't know without actually going
22 through each incident.

23 Q. Okay. Was there an incident or did you make
24 the observation during any of these tests including
25 the accidental ones that you thought he was reacting,

134

1 but he didn't tell you?

2 A. On page 8 of my report, when I'm observing
3 Mr. Firstenberg in his home, when engineer Sal LaDuca
4 was testing, at 11:34 a.m., I saw his eyes were red
5 and watery. And I thought he was not feeling well.
6 So I asked him. So that was one instance where I made
7 an observation.

8 Q. You made an observation. But then he
9 followed up with a self-reported symptom?

10 A. Yes.

11 Q. Okay. Were there any observations that you
12 made that you didn't corroborate with Mr. Firstenberg
13 telling you what he was experiencing?

14 A. I don't think so. I think I made
15 observations and then I would inquire.

16 Q. Okay. And then he would tell you?

17 A. Tell me, yes.

18 Q. Okay. And it's fair to say that in any
19 observation you made, you asked -- you corroborated
20 what it was with him?

21 A. I would make the observation. I would write
22 it down. And then I would inquire at some -- either
23 at that time or some later point in time.

24 Q. Okay. Have you accompanied Mr. Firstenberg
25 in public, you know, outside his home, outside your

135

1 office, have you met with him in public?

2 A. What do you mean met in public?

3 Q. Have you seen him around Santa Fe, I mean
4 like on the Plaza area? He likes to go to the Supreme
5 Court library a lot. Have you seen him there?

6 A. I've seen him outside of my office, yes.

7 Q. Okay. And did you make any observations
8 about his symptoms, did he get watery eyes, did you
9 ask him about that?

10 A. No. If I saw him outside of my office, I
11 didn't make any inquiries.

12 Q. You weren't testing him?

13 A. Right.

14 Q. Okay. Now, I may have asked this in relation
15 to the neurobehavioral tests. But in terms of the
16 accidental provocation tests and the provocation tests
17 you used at the end of the electrical inspection date,
18 are those testing methodologies recognized in a
19 peer-reviewed scientific journal, what you did?

20 A. What I did was just part of my training on
21 how to conduct experiments in neuropsychology and how
22 to make observations in psychology and
23 neuropsychology. It's just what a scientist does when
24 they're observing.

25 Q. Okay. And it was mostly observing because a

136

1 lot of these instances were just observations and not
2 intentional test taking?

3 A. Yes.

4 Q. Okay. But for the last provocation, that was
5 an intentional test. And that test, the methodology
6 of that test, is that in a peer-reviewed journal? I
7 know you say it's in your practice. But is it
8 contained in a peer-reviewed journal somewhere?

9 A. That specific scenario is not. But the
10 general concept of how to conduct a test like that I
11 believe I can find in a peer-reviewed journal
12 somewhere.

13 Q. It's something in the psychologist's tool
14 chest?

15 A. Right.

16 Q. To be used if the occasion demands it?

17 A. Yes.

18 Q. Okay. But in terms of the scientific studies
19 you have reviewed, they didn't say you've got to do a
20 provocation challenge along the lines of what you did
21 at the end of the day, you didn't see anything like
22 that?

23 A. No.

24 Q. Okay. I know you've had other patients who
25 have reported EMS complaints. Have you utilized these

137

1 testing methodologies on them?

2 A. No.

3 Q. Okay. In preparing for your opinions, did
4 you consult any medical or psychological textbooks or
5 treatises for your examination? We talked about
6 journals. But we're talking about the big textbooks.

7 A. Not specifically for this evaluation.

8 Q. Okay. Now, let's go into your opinions.
9 Just briefly --

10 A. Can I take a break.

11 MR. ROMERO: Let's take a ten-minute break.
12 (Recess.)

13 MR. ROMERO: Let's go back on the record.

14 BY MR. ROMERO:

15 Q. Dr. Singer, let's talk about the opinions
16 that you have made in this case. Can you briefly tell
17 me all the opinions that you have made to date.

18 A. My opinions include that I believe that
19 Mr. Firstenberg suffers emotional and mental distress
20 with exposure to some types of EMF radiation. And
21 that multiple chemical sensitivity is probably
22 minimized in his symptomatology at this time because
23 he has that under control.

24 I believe he has declines in memory and
25 executive function that occur from past exposures and

138

1 situations. And, of course, in my opinion his overall
2 IQ is still very high now and he still has many intact
3 cognitive functions.

4 That he does not have a personality disorder
5 or a mood disorder. That he gave good effort on
6 testing. And that malingering was not detected. That
7 his personality was within normal limits.

8 That he suffers from electromagnetic
9 frequency sensitivity, which when activated causes
10 physical and psychological distress. And that he
11 probably was affected in that way from radiation from
12 his neighbor's home.

13 **Q. Okay. And these opinions are contained on**
14 **pages 16 and 17 of the May 2011 report?**

15 A. Yes.

16 **Q. And that's Exhibit 4?**

17 A. Yes.

18 **Q. Okay. Do you intend to offer these opinions**
19 **as a neurotoxicologist, neuropsychologist, or as a**
20 **psychologist?**

21 A. As a neuropsychologist and neurotoxicologist.

22 **Q. Okay. Can you briefly explain how**
23 **electromagnetic radiation can cause neurological**
24 **damage. What happens? Someone is bombarded. What**
25 **happens to the human body?**

139

1 A. Well, I can say that all the details are not
2 known about this, that there has been some research
3 done on this. And from the research that I've seen,
4 what I believe is going on is that the radiation is
5 altering the blood-brain barrier, which is designed to
6 keep exogenous chemicals from entering the brain.

7 And when this barrier is modified or damaged
8 or injured, that it permits these exogenous chemicals
9 to enter into the brain and disrupt brain function.

10 **Q. Okay. So in a nutshell you're saying that**
11 **exposure to EMFs compromises the integrity, the**
12 **structure of the blood-brain barrier and prevents it**
13 **from doing what it's designed to do, causing these**
14 **symptoms?**

15 A. I believe that's one of the mechanisms.

16 **Q. Okay. This theory, let's just call it a**
17 **theory, would you agree with me that this blood-brain**
18 **barrier theory is controversial in the scientific**
19 **community?**

20 A. I would say that there's not a lot of debate
21 about this topic altogether, especially in the
22 American scientific community. So to term it
23 controversial would be maybe a stretch in that it's
24 not even hardly discussed.

25 **Q. Okay.**

140

1 A. So having said that --

2 **Q. Do people disagree?**

3 MR. LOVEJOY: Were you finished? You said
4 having said that.

5 THE WITNESS: Yeah. Having said that --
6 thank you -- when I look at the research studies on
7 the blood-brain barrier and EMFs, certainly not all
8 the data is in. But they -- I'm not sure that I've
9 seen a negative study on that.

10 BY MR. ROMERO:

11 **Q. Okay.**

12 A. So I'd have to check on that. But to my
13 recollection I'm not seeing a negative study about
14 that.

15 **Q. Okay. But you can't state with any certainty**
16 **that there are no studies postulating the opposite**
17 **conclusion?**

18 A. Right. Well, no. I'm sorry.

19 MR. LOVEJOY: Please define what you mean by
20 an opposite conclusion.

21 THE WITNESS: Yeah. That's where I got
22 thrown off.

23 BY MR. ROMERO:

24 **Q. Okay. You haven't ruled out or in your**
25 **research you haven't researched every blood-brain**

141

1 **barrier study that's out there; is that correct?**

2 A. I don't know.

3 **Q. Okay. And the possibility exists because you**
4 **haven't completed the research that there may be some**
5 **studies out there that refute the validity of this**
6 **blood-brain barrier theory?**

7 A. I think that what I would agree with is there
8 may be studies that are -- that don't support that
9 theory.

10 **Q. Okay. This blood-brain barrier theory, is**
11 **this a generally accepted scientific medical**
12 **principle?**

13 A. Well, the blood-brain barrier is a generally
14 accepted scientific and medical principle.

15 **Q. Okay. But the compromise effect from EMFs,**
16 **is that something that's generally recognized in the**
17 **scientific and medical community?**

18 A. In the general medical and scientific
19 community, maybe 99 percent of this population would
20 know nothing about this topic.

21 **Q. Okay.**

22 A. So it's not generally accepted because they
23 know nothing about it.

24 **Q. But it's safe to say it is not generally**
25 **accepted for whatever reason?**

142

1 A. It certainly is not generally accepted among
2 most scientists and medical doctors in that they have
3 no understanding or no knowledge of this field.

4 **Q. Okay. Going back to safe and unsafe levels
5 of electromagnetic radiation exposure, you know what a
6 baseline is, do you, Dr. Singer?**

7 A. It probably varies in its definition
8 depending on its application, but I know generally
9 what the term means.

10 **Q. Okay. But at some point, if we're talking
11 about exposure levels, there is a little bit is good,
12 things in moderation good; but at some point you get
13 too much of a good thing and it becomes bad. You'll
14 accept that premise, right?**

15 A. I guess generally speaking I can accept it.

16 **Q. Common sense-wise?**

17 A. I'm not sure that a little bit is always
18 good. But a little bit can be tolerated. And a
19 little bit of -- and then as something that is bad, a
20 lot of it is bad.

21 **Q. I like your use of the term tolerate better.
22 There is levels that it can be tolerated and levels
23 that cannot be tolerated. And this is certainly the
24 case with Mr. Firstenberg. Is there some kind of
25 baseline level that separates safe levels of exposure**

143

1 **versus unsafe levels of exposure for the general
2 population at large, do we have that?**

3 A. The safe levels of exposure are the levels
4 that have existed when humanity evolved. And those
5 are safe levels.

6 **Q. Okay. But at some point they become unsafe?**

7 A. The natural -- the natural sources of
8 microwave radiation I believe is fairly constant. But
9 introduction of man-made microwave radiation, at some
10 point that level becomes unsafe.

11 **Q. Okay. And from a general population
12 standpoint, you have no opinion or fact or observation
13 that says when levels that are safe start becoming
14 unsafe, there's no bright line separating the two?**

15 A. I think it's unsafe when the radiation is
16 causing symptoms.

17 **Q. Okay. My question to you is --**

18 A. And it may be unsafe at levels lower than
19 that too.

20 **Q. Okay. My question to you is is there a
21 bright line measurement that differentiates safe
22 levels from unsafe levels from a general population
23 standpoint? Does such a bright line exist?**

24 A. I can look at studies that have been done on
25 populations with microwave exposure, where they

144

1 measure the levels of exposure coming from the cell
2 tower, the radio tower, and they find symptoms. And I
3 can tell you what those levels that they found are.
4 And I would say that that's not a safe level because
5 people are symptomatic.

6 **Q. Okay. But that's specific to cell towers,
7 right?**

8 MR. LOVEJOY: Do you understand the question?

9 THE WITNESS: No.

10 BY MR. ROMERO:

11 **Q. You said that radiation emitting from cell
12 towers at some point emits enough radiation where
13 people start complaining. And to you you've deemed
14 that to be unsafe?**

15 A. You used the term complaining, which I agree.
16 But they may not be complaining. But you can examine
17 them and elicit symptoms from them. And then they may
18 not know it's from the cell tower transmission.

19 But what I'm saying is that in research
20 that's been done and they go out and they evaluate a
21 population and they can determine the power density
22 being emitted or being received at a certain distance
23 and they can determine the level of symptoms or the
24 level of dysfunction as in the case -- in the study
25 that was done in Egypt, where they did

145

1 neuropsychological testing.

2 And what I'm saying is the levels that
3 produce either symptoms or, when elicited, they find
4 symptoms or neurobehavioral deficits, those levels are
5 unsafe. And levels earlier than that also may be
6 unsafe or less than that may be unsafe.

7 **Q. Okay. But in this example of cell towers,
8 there is no bright line measuring stick that says this
9 is safe, anything beyond that is unsafe?**

10 MR. LOVEJOY: Now I object, because I'm not
11 sure what you mean by bright line measuring stick.
12 He's told you a process. And what's a measuring
13 stick?

14 BY MR. ROMERO:

15 **Q. I'm just wondering when does safe become
16 unsafe and how is that determined, how is that
17 measured? Or is there a measurement?**

18 A. Whether a level is considered safe or not
19 depends upon the judgment of the observer. For
20 example, I would say it's not safe if people are
21 having symptoms. But someone else may say, oh, no,
22 they're fine, they're just minor symptoms.

23 **Q. Okay. So is it fair to say that whether
24 electromagnetic radiation exposure is at a safe level
25 or at an unsafe level really depends on the**

146

1 individual?

2 A. The individual that's being exposed and the
3 individual making the judgment.

4 Q. Right. And that's yes on both counts?

5 A. Yes.

6 Q. Okay. And whether electromagnetic radiation
7 exposure is safe or unsafe is really something that's
8 determined on a case-by-case basis?

9 A. Well, you know, generally I would say the
10 answer is yes. However, if the regulator that's
11 regulating these emissions, if they determine that
12 people are being hurt, then they typically will assert
13 a permissible level. And that will be below that
14 which can hurt anybody. Typically it's a factor of
15 100.

16 Q. Okay. Is it your opinion that
17 Mr. Firstenberg has suffered neurological damage due
18 to the usage of electronic devices coming from
19 defendant Monribo's home?

20 A. My opinion is that the neurological or
21 neuropsychological impact is most likely temporary.
22 But it could be -- it could be cumulative and it could
23 lead to a permanent deterioration.

24 Q. Okay.

25 A. But if he experiences the symptoms and he

147

1 leaves the premises, probably it's not -- well, I
2 think it's probably not causing a permanent damage.
3 But then again I don't know. There's not enough
4 research on that to specify.

5 Q. Okay. This talk of neurological damage or
6 neurological impact, is that really a medical question
7 to you?

8 A. It can be addressed medically or it can be
9 addressed toxicologically or neuropsychologically.

10 Q. You testified earlier that you were not a
11 medical doctor and not rendering medical opinions.
12 But you qualified that statement. Can you tell us
13 again what you meant by that?

14 A. It's qualified in that the opinions of
15 neuropsychologists and neurotoxicologists can overlap
16 the opinions of a medical doctor.

17 Q. Okay. So when discussing neurological damage
18 or neurological impact, this is one such instance of
19 overlap?

20 A. I usually refer to the impact as
21 neuropsychological or nervous system impact.

22 Q. Okay. That's not something that's within the
23 sole purview of a neurologist?

24 A. Correct.

25 Q. Okay. Now, the legal standard for experts

148

1 when proving the issue of causation is to prove things
2 to a reasonable medical probability. Now, I know
3 you're not a medical doctor. And I guess in this case
4 causation has to be proved by a reasonable degree of
5 certainty or a reasonable psychological probability.

6 Now, is it your opinion to a reasonable
7 psychological probability or to a reasonable degree of
8 probability that Mr. Firstenberg has suffered
9 neurological damage or impact caused by
10 electromagnetic radiation coming from Ms. Monribo's
11 home?

12 A. There were too many words in that question
13 for me to answer.

14 Q. Okay. Let's break it down.

15 Is it your opinion to a reasonable degree of
16 probability that Mr. Firstenberg has incurred
17 neurological damage or suffered from a neurological
18 impact caused by Ms. Monribo's electromagnetic
19 radiation devices?

20 A. So I'd like to break down your question
21 further. In my opinion I did not use the term
22 neurological.

23 Q. Okay. What was the term you used?

24 A. Neuropsychological or nervous system function
25 or neurotoxicological.

149

1 Q. Okay. So let's substitute those words. Is
2 it your opinion to a reasonable degree of certainty
3 that Mr. Firstenberg has a neurotoxicological impact
4 due to Ms. Monribo's electronic devices?

5 A. Yes.

6 Q. Okay. Is it your opinion to a reasonable
7 degree of certainty that Mr. Firstenberg has a
8 neuropsychological impact caused by the devices
9 belonging to Ms. Monribo that emit electromagnetic
10 radiation?

11 A. Yes.

12 Q. Okay. Are these your final opinions? I
13 understand that you have your separate report that
14 you've yet to complete. But those are summations of
15 scientific studies.

16 Are the opinions you stated on pages 16 and
17 17 on your May 2011 report your final opinions?

18 MR. LOVEJOY: What's a final opinion? He's
19 not going to have anymore opinions.

20 BY MR. ROMERO:

21 Q. He's not going to have anymore opinions, he's
22 not going to change the opinions that appear in your
23 May 2011 report. Is this it?

24 A. I don't think a scientist could ever say that
25 their opinion is final. They always have to respond

150

1 to data as it comes in.

2 **Q. Okay. Do you intend to revise your opinions**
3 **that you've made in this case any time in the near**
4 **future?**

5 A. No.

6 **Q. Okay. In your testing of Mr. Firstenberg,**
7 **did you rule out all psychological conditions or**
8 **disorders?**

9 A. Yes.

10 **Q. Okay. Did you consider somatization?**

11 A. Yes.

12 **Q. What is somatization?**

13 A. Somatization is the production of symptoms
14 because of a psychological disorder.

15 **Q. Okay. And how do you test someone for**
16 **somatization disorder?**

17 A. You can evaluate the person to determine
18 whether they have any psychological disorders. You
19 conduct a history and evaluation of the person to --
20 again to see whether there are psychological disorders
21 that the person might have at all. You then can
22 consult with the DSM, Diagnostic and Statistical
23 Manual, to see whether the person fits into that
24 category based on the listed criterion. That's all
25 that comes to mind.

151

1 **Q. Okay. What diagnostic tests are typically**
2 **used when testing someone for somatization?**

3 A. The tests are varied. You can administer
4 tests for malingering and distortion, which I did.
5 You can administer the MMPI and look for results
6 there.

7 You can administer the NEO Personality
8 Inventory which I administered and look for a
9 personality disorder of hypochondriasis, which would
10 be a related condition. But there's no really
11 specific test for somatization.

12 **Q. You mentioned some tests you used on**
13 **Mr. Firstenberg when testing for somatization. What**
14 **other tests did you employ on him for somatization?**

15 A. I also used the RUFF Neurobehavioral
16 Inventory. And this indicated he was a valid
17 responder regarding his symptoms. I administered the
18 Miller Forensic Assessment of Symptoms Test. And
19 Mr. Firstenberg passed that test perfectly as
20 nonmalingering.

21 I gave the Test of Memory Malingering. And
22 again he passed that perfectly, over 150 trials, as
23 nonmalingering. I assessed recognition versus recall.
24 And that was negative for malingering or distortion.
25 Yes. I also administered the SCID, which was negative

152

1 for somatization. I think that was it.

2 **Q. Okay.**

3 A. Including, of course, the history that I took
4 in the interviews.

5 **Q. Okay. Were there any somatization tests that**
6 **you did not use on Mr. Firstenberg?**

7 A. Again there is no specific test for
8 somatization. So the answer would be no.

9 **Q. Okay. Are there tests out there that could**
10 **be used to test somatization that you did not use?**

11 A. There are tests out there that assess for
12 responses that might be somatization. But again it's
13 not definitive. So are there tests out there? Like
14 the MMPI is used for that purpose, I think the SCL is.
15 There are probably other tests out there that try to
16 address this.

17 **Q. Okay. And in your opinion Mr. Firstenberg**
18 **didn't test positive for somatization?**

19 A. Right.

20 **Q. And what's the basis for that conclusion?**
21 **You said he passed certain tests. You did not test**
22 **for malingering. I mean can you be more specific?**

23 A. I don't understand the question.

24 **Q. When you say that Mr. Firstenberg did not**
25 **test positive for somatization, what is the basis for**

153

1 **that?**

2 A. Other than what I've already discussed?

3 **Q. Yes.**

4 A. I think I've discussed it all. Basically I
5 was unable to identify a psychological conflict that
6 would result in somatization. And according to the --
7 one of the DSM definitions of somatization disorder,
8 the person getting that diagnostic classification
9 needs to have utilized a lot of medical services.

10 There's a more specific terminology, but
11 something like that, before the age of 30. Many, many
12 visits to doctors complaining about that. And he
13 didn't have -- he didn't fulfill that criteria.

14 **Q. So him having to go back for multiple**
15 **surgeries because of his root canals, that doesn't**
16 **qualify?**

17 A. I thought that was after he was 30. I think.
18 But I'll check on that.

19 **Q. Okay. So a criteria is you have to have**
20 **numerous hospital visits before you're 30?**

21 A. Yes.

22 **Q. What happens if you have numerous hospital**
23 **visits after you're 30, that's not considered?**

24 A. You know, I don't have the criteria in front
25 of me. But I believe that that is a major criteria

154

1 within one of their definitions. Apparently there's
2 more than one way to get that diagnosis in a DSM.

3 **Q. Okay. And they're specific in saying there's**
4 **a cutoff point at age 30?**

5 A. Yes.

6 **Q. Okay.**

7 A. They also are specific in stating that there
8 can't be a medical or toxicological or some scientific
9 reason for the person's symptoms. If it can be
10 explained by a medical condition, then it's not
11 appropriate to get that diagnosis.

12 **Q. Now, in this case there were numerous**
13 **instances of Mr. Firstenberg visiting healthcare**
14 **professionals telling him he has porphyria, multiple**
15 **chemical sensitivity, EMS. Is the fact that these**
16 **medical professionals told him he had something, does**
17 **that satisfy the criteria?**

18 A. No.

19 **Q. He has to have a genuine condition?**

20 A. I don't understand the question.

21 **Q. I don't understand your answer. If he has**
22 **doctors that say he has EMS, does that satisfy the**
23 **criterion for somatization under the DSM?**

24 A. Which criterion?

25 **MR. LOVEJOY: I object to the form of the**

155

1 question. I think you're making an assumption based
2 on one of his previous answers, which is directly
3 contrary to his answer.

4 **BY MR. ROMERO:**

5 **Q. Okay. Well, let's backtrack. I know it's**
6 **late. And tell me if I am repeating you correctly.**
7 **Part of the criteria for somatization, one thing**
8 **that's looked at is whether someone has an illness; is**
9 **that right?**

10 A. I'm going to try to look up the criteria. I
11 might have it here.

12 **Q. Okay. I'm looking at DSM-IV, "Diagnostic**
13 **criteria for undifferentiated somatoform disorder,"**
14 **300.81. Is that what you're looking at?**

15 A. No. I was looking for a different category.
16 And I'm not finding it.

17 **Q. Let's use this one, undifferentiated**
18 **somatoform disorder. Did you test Mr. Firstenberg for**
19 **undifferentiated somatoform disorder?**

20 A. There is no specific test for that.

21 **Q. Okay. Did you conclude in your opinion that**
22 **he does not suffer from undifferentiated somatoform**
23 **disorder?**

24 A. Yes.

25 **Q. Okay. Now, looking at the criteria, sub A**

156

1 says, "One or more physical complaints." B, "Either
2 one or two." Subpoint 1, "After appropriate
3 investigation, the symptoms cannot be fully explained
4 by a known general medical condition or by the direct
5 effects of a substance; e.g., drug abuse medication."
6 **Is that the language you were looking for?**

7 A. Yeah. There's two different sets of criteria
8 that the DSM gives. And one of them you have in front
9 of you. The other one I don't have. But that
10 criteria is pretty similar I believe in both.

11 **Q. Okay. So let's stick with the word known**
12 **general medical condition. If Mr. Firstenberg has**
13 **received opinions from doctors that say you have EMS,**
14 **is this sub 1 criteria satisfied?**

15 A. That would depend on whether the person
16 making the judgment believes that the illness is a --
17 whatever those terms were.

18 **Q. Right. A known general medical condition.**

19 A. Right.

20 **Q. And if he finds doctors that subscribe to**
21 **this belief, that EMS is a known general medical**
22 **condition, and that's the only physicians he sees, is**
23 **this criteria satisfied?**

24 A. Yes.

25 **Q. Okay. Now, what if the circumstance is EMS,**

157

1 being a controversial theory subject to great debate,
2 where not all medical practitioners agree. And if
3 Mr. Firstenberg only sees those physicians that
4 subscribe to this belief and ignores all others, is
5 this criteria still satisfied in your mind?

6 A. I believe that it is.

7 **Q. Okay.**

8 A. Of course, there is a second part to that.

9 **Q. Right. In arriving at your opinions, did you**
10 **contact Dr. Erica Elliott?**

11 A. I did.

12 **Q. Did you contact Dr. Leah Morton?**

13 A. No.

14 **Q. Okay. Just in general what was the substance**
15 **of your conversations with Dr. Elliott?**

16 A. I believe I had more than one conversation.
17 I'm only recalling the last conversation.

18 **Q. Okay.**

19 A. The substance was I was inquiring about her
20 responses on her affidavit.

21 **Q. Okay.**

22 A. And I also inquired about her opinion about
23 the abnormal enzyme testing.

24 **Q. Okay. And did you discuss with Dr. Elliott**
25 **this motor vehicle accident where he had this amnesia**

158

1 episode?

2 A. I don't recall discussing that with her.

3 Q. To the best of your knowledge, do you know if
4 she's even aware that Mr. Firstenberg experienced this
5 accident?

6 A. I can only presume she got my report and
7 presume that she read it. But other than that I don't
8 know.

9 Q. She was sent a copy of the May 2011 report?

10 A. I just presume that she was. But again I
11 don't know.

12 Q. You didn't send the report to her directly,
13 did you?

14 A. Right.

15 Q. Now, are you endorsing Dr. Elliott's medical
16 opinions in this case?

17 A. I'm not endorsing them. I'm accepting them.

18 Q. Okay. But she's a medical doctor, right?

19 A. Yes.

20 Q. And you're not. Are you deferring to her
21 medical-related opinions?

22 A. With regard to the practice of medicine, yes.

23 Q. Okay. You had some contact with Sal LaDuca?

24 A. Yes.

25 Q. And this is during the inspection?

159

1 A. Yes.

2 Q. Did you have any other contact with
3 Mr. LaDuca?

4 A. I might have had a telephone contact with
5 him. But -- no, I kind of doubt that I did. I'm not
6 recollecting any.

7 Q. Okay. What about Dan Matson, have you spoken
8 with Dan Matson?

9 A. Yes.

10 Q. And what was the substance of those
11 conversations?

12 A. I inquired about his findings when he
13 inspected the premises. That was the nature of the
14 discussion.

15 Q. Anything else you would like to add, any
16 other conversations with Mr. Matson?

17 A. It was just pertaining to his inspection of
18 the premises.

19 Q. You attended Dr. Staudenmayer's evaluation?

20 A. (Witness nods head.)

21 Q. Is that a yes?

22 A. Yes.

23 Q. Okay. Do you have any comments or criticisms
24 about this evaluation, is there something you felt he
25 did wrong, something he could have done different?

160

1 A. With regard to -- with regard to the
2 immediacy of the testing situation? I don't really
3 have any comments about it.

4 Q. You don't take issue with the way he
5 approached the evaluation?

6 A. Not with regard to his behavior in the
7 immediate circumstances of the evaluation. I'm not
8 recalling any problems. I'd like to take a break.

9 MR. ROMERO: Okay. Let's do that. Let's
10 take a five-minute break.

11 (Recess.)

12 MR. ROMERO: Let's go back on the record.

13 BY MR. ROMERO:

14 Q. Dr. Singer, have you evaluated or have you
15 reviewed Dr. Staudenmayer's report?

16 A. I have reviewed it.

17 Q. And do you have any comments or criticism
18 based on your review?

19 A. Yes.

20 MR. LOVEJOY: I think that's kind of an
21 unfairly large question. Can you break it down a
22 little bit. It's a big report.

23 BY MR. ROMERO:

24 Q. What do you find wrong with his report?

25 MR. LOVEJOY: That's the same thing.

161

1 BY MR. ROMERO:

2 Q. And you can just start with the beginning and
3 just go down to the end.

4 MR. LOVEJOY: I object to that question in
5 that form. You can try to deal with it as best you
6 can.

7 THE WITNESS: Could you repeat the question.

8 BY MR. ROMERO:

9 Q. In your review of Dr. Staudenmayer's report,
10 do you have any criticisms?

11 A. If I'm asked as I am in this moment, yes, I
12 do.

13 Q. And what are those criticisms?

14 A. Well, I have specific comments and I have
15 general comments.

16 Q. Okay.

17 A. You want them all?

18 Q. Whatever is easy for you.

19 A. How much time do we have?

20 Q. How much do you have to say? I want to know
21 what you know. And I'll just let you talk. And if I
22 have any need for questions, I'll interject. But
23 let's just hear it.

24 A. Well, I guess my overall criticism is that
25 I'm not sure why he needed to conduct an examination

162

1 at all for a number of different reasons. One, I
2 think his mind was made up before he met
3 Mr. Firstenberg. So the examination itself was just
4 superfluous.

5 **Q. Okay.**

6 A. Two, I believe that Mr. Firstenberg is
7 complaining of you might say medical,
8 neurotoxicological, or neuropsychological problems.
9 And I think that his problems fall within those
10 arenas.

11 And someone would need training and
12 qualifications and experience in order to assess
13 whether Mr. Firstenberg was suffering from a
14 neurotoxic disorder. So if a person -- if an
15 evaluator does not have knowledge of toxicology or
16 sufficient knowledge, then they would never be able to
17 make that opinion because they don't know about it.

18 So I think that contributes to that -- it
19 appears that Dr. Staudenmayer's mind was kind of made
20 up before he even had a chance to see Mr. Firstenberg.

21 Then also in the sort of a general type of
22 trying to figure out how Dr. Staudenmayer does his
23 evaluations, he administered the MMPI and the SCL,
24 SCL-90 or whatever it is. And I'm wondering how he
25 uses these instruments.

163

1 What is he looking for to determine if a
2 person is -- I don't know what his hypothesis is when
3 he's coming in. But that I would like to know. That
4 should be clarified to me or to whoever is evaluating.
5 And how does he use these instruments to either
6 confirm or deny his hypothesis. And that's not clear
7 to me.

8 In this case Mr. Firstenberg came up
9 basically normal on these tests that Dr. Staudenmayer
10 administered except maybe he was faking good. But so
11 I don't know a priori how he determines.

12 And it seems to me that Arthur Firstenberg
13 did not meet the criteria of -- that Dr. Staudenmayer
14 would use or that any person would use when using
15 these instruments to determine an abnormality. In
16 other words, the tests were administered and
17 Mr. Firstenberg comes out as pretty normal. So why
18 bother administering the tests.

19 Then I have questions about the history that
20 Dr. Staudenmayer received or noted in his report. And
21 to me it seemed like there were numerous errors in the
22 history that's being reported. And if
23 Dr. Staudenmayer is relying on this history to make
24 his determination, then I question the validity of an
25 opinion based on data that may be inaccurate.

164

1 **Q. What facts did you see in his recitation of
2 facts and events that you thought were inaccurate?**

3 A. Well, one that comes to mind was -- okay. I
4 believe that Dr. Staudenmayer was concerned that --
5 and again it's not entirely clear to me at this moment
6 because I have not had the chance actually to study
7 this report in depth. So I'm giving you kind of
8 off-the-top-of-my-head remarks.

9 **Q. A cursory once-over?**

10 A. Yeah.

11 **Q. This is what comes to mind?**

12 A. Yes.

13 **Q. Okay.**

14 A. So I believe that Dr. Staudenmayer is
15 focusing on an incident at the Madonna Center, when
16 Mr. Firstenberg reported he had some issues going on
17 there. And I think that Dr. Staudenmayer is relating
18 that to events happening with Mr. Firstenberg and his
19 girlfriend. But the timing was off. I have to
20 consult some further notes.

21 **Q. Okay.**

22 A. Mr. Firstenberg stated that he moved to
23 Mendocino. That his girlfriend, Quin, moved up to
24 Washington state in 1983. And then Mr. Firstenberg
25 stayed in Mendocino another year.

165

1 But Dr. Staudenmayer refers to, quote, he
2 described a distressing event in 1984, when he and his
3 girlfriend were at the Mount Madonna Center. But
4 according to Mr. Firstenberg, that didn't happen. His
5 girlfriend was in Washington state in 1984.

6 So I think I'll return -- I'll return to
7 that. But going through this report, I would say that
8 Dr. Staudenmayer was concerned about the recycling
9 truck that came down the street, where we were
10 sitting, where we were working. And he was concerned
11 that Mr. Firstenberg did not mention the truck exhaust
12 fumes, which Dr. Staudenmayer states he could smell.

13 And he uses this to imply or to actually
14 state that Mr. Firstenberg either is lying about
15 symptoms or is highly inconsistent or is basically --
16 I think the implication is that he's lying about his
17 symptoms.

18 I was there when the truck exhaust fumes were
19 present. And I can't really say that I smelled them
20 either. I'm sensitive to truck exhaust fumes. But it
21 was -- it was an open street. The wind was blowing,
22 we were out in the open. It was just one truck. So
23 there obviously were some truck exhaust fumes, but it
24 wasn't that noticeable to me.

25 **Q. And you're sitting at a separate table?**

166

1 A. I was closer to the exhaust pipe than
2 Mr. Firstenberg and Dr. Staudenmayer.

3 Q. Okay.

4 A. I was pretty close, you know, pretty close to
5 it. Like distance from you and me.

6 Q. Okay.

7 A. I guess it was there, but I didn't notice any
8 smell. So that's one aspect of that. Second,
9 Mr. Firstenberg does not report a sensitivity to truck
10 fume exhaust. So that he didn't smell -- that he
11 didn't comment on it wasn't necessarily contradictory
12 because he doesn't state that that's what he's
13 sensitive to. So that's one issue. And I think that
14 comes up later also.

15 On page 4 of Dr. Staudenmayer's report, he
16 states, "The next day, while working as a medical
17 student in the hospital" -- this is Dr. Staudenmayer
18 reporting about Mr. Firstenberg -- "he felt sensations
19 of electric shocks when around machinery in the
20 operating room or the ultrasound in the OB-GYN
21 clinic."

22 And Mr. Firstenberg actually reports that he
23 did not start surgery or OB-GYN for a year -- until a
24 year later. So it wasn't the next day, but it was a
25 year later. So that seems to be a problem in the

167

1 history.

2 And then Dr. Staudenmayer states further
3 down, "X-ray machines were the problem." But I
4 believe that Mr. Firstenberg stated and would state
5 that it's not just x-ray machines. Other heavy
6 machinery and other chemicals were problematic for him
7 at that time.

8 On page 5 Dr. Staudenmayer states, "He moved
9 to Mendocino, California, with his girlfriend where he
10 lived about the next three years until 1984." And
11 Mr. Firstenberg again states that his girlfriend moved
12 to Washington state in 1983, although Mr. Firstenberg
13 stayed for another year.

14 Dr. Staudenmayer states, "In 1984 there were
15 two life changes. First, he and his girlfriend had
16 personal problems and they separated." And
17 Mr. Firstenberg states that his girlfriend had already
18 moved out. So that year is inaccurate. The event at
19 Mount Madonna according to Mr. Firstenberg occurred in
20 1983. And Dr. Staudenmayer identifies it in 1984.

21 Dr. Staudenmayer identifies a second life
22 change was a work opportunity, which it looks like he
23 is putting into 1984. But the Mount Madonna
24 incident -- okay. Dr. Staudenmayer states, "In 1984
25 there were two life changes." And one of them he says

168

1 was his girlfriend being there. That didn't happen.

2 The second was a work opportunity. He had
3 met Elana Rubinfeld. But that didn't happen at the
4 time of the Mount Madonna incident. So there's that
5 discrepancy. And it makes it problematic to make a
6 determination about the Mount Madonna incident since
7 those facts are according to Mr. Firstenberg
8 inaccurate.

9 That his move to Brooklyn was -- that the
10 incident at Mount Madonna was not due to his moving to
11 Brooklyn or his girlfriend leaving. Dr. Staudenmayer
12 states, "In late 1980 Elana identified that he,"
13 Mr. Firstenberg, "had an acute sense of smell." And
14 Mr. Firstenberg states that that actually occurred in
15 1988.

16 Dr. Staudenmayer states, "He stated that he
17 was not aware of having hyperosmia at the time."
18 Mr. Firstenberg states that he is not aware of having
19 hyperosmia at any time, but that he reports more often
20 having anosmia.

21 Dr. Staudenmayer states, "He said he resisted
22 the suggestion of MCS for several years." And
23 Mr. Firstenberg states that it was one year.
24 Dr. Staudenmayer states, "By 1992 he was convinced of
25 EMF sensitivity and reacted with symptoms of shortness

169

1 of breath and difficulty thinking." Mr. Firstenberg
2 states that his EMF sensitivity began in 1980, not
3 1982.

4 Dr. Staudenmayer states, "He found an EMF
5 support group in New York with whom he went walking."
6 Mr. Firstenberg states this group was composed mostly
7 of people with MCS. There was only one other person
8 with electrical hypersensitivity in the group. And
9 that the timeline was wrong. In 1992 he had been with
10 this group for several years.

11 And I believe why this -- I believe why this
12 is important is I think that Dr. Staudenmayer is
13 believing that he -- Mr. Firstenberg got into EMF
14 hallucinations because of his association with this
15 group. And it doesn't seem like that association is
16 that strong based on the timeline.

17 On page 6 Dr. Staudenmayer states, "With
18 emotion and raised voice, he said that is how he met
19 Raphaela Monribot who answered his request." Later on
20 Dr. Staudenmayer states that Mr. Firstenberg's "Speech
21 when discussing emotional material was guarded."

22 Well, I'm not sure if that's a total
23 contradiction. But I didn't find -- I was present. I
24 didn't find that Mr. Firstenberg was especially
25 guarded. And I did find in agreement with

170

1 Dr. Staudenmayer that what would appear to be emotion
2 and certainly with a raised voice he did discuss how
3 he met Raphaela Monribot. I recall that.

4 Dr. Staudenmayer states that -- and this is a
5 true statement. "He was able to tolerate the
6 fireplace at a friend's house where he stayed. He has
7 a gas stove and forced air gas heat in his house,
8 which he tolerates." But Mr. Firstenberg states that
9 he never stated that he was sensitive to gas stoves
10 and forced air heat and that he does not claim to be
11 sensitive to these.

12 And from my experience I find that people
13 with multiple chemical sensitivity can vary in their
14 sensitivity to various substances. And people can
15 have chemical sensitivity and not be sensitive to a
16 gas stove and gas heat. That's very common. A gas
17 stove and gas heat is actually a fairly clean
18 combustion product.

19 But I believe that Dr. Staudenmayer is using
20 this to state that Mr. Firstenberg is contradictory
21 and inconsistent and, therefore, not a valid reporter
22 of his symptoms. So I don't believe that that's fair
23 to make such a statement based on this evidence.

24 Dr. Staudenmayer stated, "I noted that he did
25 not mention fatigue," when he was talking about his

171

1 symptoms. "He denied having fatigue." And
2 Mr. Firstenberg said he didn't report having fatigue
3 because he doesn't feel that he has fatigue. He
4 states that he has lots of energy when he's not
5 exposed. You want me to continue?

6 **Q. Keep going.**

7 **A. Okay.**

8 **Q. This is the only chance I get to talk to you**
9 **before the Daubert hearing. So if you have something**
10 **to say, let's hear it.**

11 **A. Well, I'm only responding to your question in**
12 **this response.**

13 **Q. Okay. That's fine.**

14 **A. On page 9 Dr. Staudenmayer states that, "He**
15 **said that in 1996 he felt dizzy due to chemicals."**
16 **This was in regard to an incident in 1996, where --**
17 **yeah. Where Mr. Firstenberg felt dizzy, was having**
18 **some reaction, and he at that time assumed that there**
19 **was an exterminator who had laid down pesticides**
20 **because he felt dizzy, he felt a reaction.**

21 **But when Mr. Firstenberg did further**
22 **investigations, he found out that, in fact, there had**
23 **not been an exterminator present, there had not been**
24 **an application of pesticides; but according to**
25 **Mr. Firstenberg's investigation, he was actually**

172

1 reacting to the base station microwave emissions. So
2 that would then be another inaccuracy.

3 On page 9 Dr. Staudenmayer states, "I asked
4 him what the latency of symptom onset to EMF
5 exposure." Let me repeat that. "I asked him what the
6 latency of symptom onset was to EMF exposure. He
7 said, 'Occasionally, immediately; depends on the
8 history and state of exposure'."

9 Then Dr. Staudenmayer states that
10 Mr. Firstenberg states, "He gave the example of
11 someone pulling out an iPhone." But Mr. Firstenberg
12 states that if someone pulls out an iPhone and
13 Mr. Firstenberg is not aware that an iPhone is present
14 and the iPhone is turned on, then he may or may not
15 detect symptoms immediately.

16 He may have symptoms later. He may
17 eventually feel something but not be able to attribute
18 it to the iPhone if he didn't know that the iPhone was
19 present, but that he would not necessarily detect it
20 immediately.

21 On page 10 Dr. Staudenmayer refers to a
22 medical record from Dr. Gordon Baker, where he states
23 that Mr. Firstenberg states that he had "reddish urine
24 after EMF exposure." However, Mr. Firstenberg states
25 that no, this would be after a severe chemical

173

1 exposure but not usually after EMF exposure.

2 Dr. Staudenmayer states that
3 Mr. Firstenberg's "interview style was generally
4 focused, with some digression to belief." And that
5 was -- I was there. That was generally true.

6 However, it's kind of arguable because the
7 digression to belief was, since this was the subject
8 of the inquiry, occasionally Mr. Firstenberg would
9 give information about his beliefs and about his
10 exposures. So he wasn't guarded. He was very open
11 and forthright with Dr. Staudenmayer. His digression
12 to belief would have been in order to give
13 Dr. Staudenmayer a full opinion.

14 Dr. Staudenmayer states, "Noteworthy is that
15 in the past seven days he did not experience any of
16 the items that paraphrase or are on his list of
17 symptoms in response to chemical or EMF exposure,
18 including," and here he lists 11 symptoms.

19 But Mr. Firstenberg says yes, that's true.
20 He doesn't have those symptoms when he is avoiding
21 exposure and when he was in that -- in the house and
22 Ms. Monribot was not present with her equipment. So
23 he did not have those symptoms.

24 But I think Dr. Staudenmayer is implying that
25 it was noteworthy because Mr. Firstenberg was

174

1 inconsistent and he couldn't keep his you might say
2 lies straight.

3 But that's not the way it was in terms of
4 Mr. Firstenberg -- it's consistent with
5 Mr. Firstenberg saying no, I didn't have those
6 symptoms, I don't have those symptoms when I'm not
7 exposed. So it's probative in a different direction
8 than Dr. Staudenmayer takes it. It's probative in
9 terms that Mr. Firstenberg is actually consistent and
10 not inconsistent.

11 Mr. Firstenberg states that he is a normal
12 person when he's not exposed. He doesn't have any
13 symptoms. Again I have to state that my opinions here
14 are limited because I haven't really studied this
15 report in depth. But on page 13 Dr. Staudenmayer
16 presents a list of maybe 20 symptoms of responses on
17 the MMPI-2.

18 And Dr. Staudenmayer states that
19 Mr. Firstenberg's reports are inconsistencies, which
20 "suggest that he approached the MMPI-2 with a bias to
21 show himself in a good light or to attribute these to
22 his sensitivities." So I'm not sure what the second
23 part of that sentence means. But I disagree that they
24 are inconsistencies because again it depends upon
25 Mr. Firstenberg's exposure.

175

1 When he's not exposed, he does wake up fresh
2 and rested most mornings. That was an answer where he
3 responded true. Dr. Staudenmayer is suggesting or is
4 saying that that's an inconsistency. Mr. Firstenberg
5 is saying no, it's not an inconsistency, it's not one
6 of my symptoms, yet Dr. Staudenmayer is taking that as
7 an inconsistency, that it suggests bias. So I
8 question the validity of Dr. Staudenmayer's
9 interpretation of the data.

10 Dr. Staudenmayer states, "There was an
11 unexpected response from a former medical student on
12 an MMPI-2 item that suggests hemophobia." That would
13 be fear of blood. Mr. Firstenberg reported the sight
14 of blood -- in response to the question the sight of
15 blood doesn't frighten me or make me sick, he said
16 false.

17 So on the basis of this one response, I
18 believe that Dr. Staudenmayer is saying that
19 Mr. Firstenberg had hemophobia. And I believe that
20 later on that that diagnosis is used to determine that
21 that was the reason why he dropped out of medical
22 school.

23 But Mr. Firstenberg states that actually he
24 was good at taking blood. He did take blood. And
25 that he -- although he is uncomfortable with being

176

1 around blood, he still can do it.

2 And I believe that it's natural for people
3 to -- or even if they're a medical doctor, to be
4 uncomfortable around blood. That's just a natural
5 human response for normal humans. I suppose some
6 humans like to be around blood. But that doesn't make
7 or break being a medical doctor.

8 On page 19 there's some ambiguity in
9 Dr. Staudenmayer's report of my findings. He states,
10 "A teenager was using a cell phone in the house.
11 After two hours he loses ground and he left the
12 premises." The cell phone was not on for two hours.
13 So there's some ambiguity going on there.

14 And I have to check my records to see when
15 actually Mr. Firstenberg left the house or the office.
16 Well, he left the premises. So anyway I have to check
17 my records to see about that. But I do know that the
18 cell phone was not on for two hours.

19 On page 23, "Mr. Firstenberg attributes the
20 onset of his IEL to chemicals, specifically
21 formaldehyde used for preservation of specimens in
22 gross anatomy class in 1978." And Mr. Firstenberg
23 says no, he does not. He does not believe he had
24 chemical sensitivity at that point in time. So he
25 could not attribute it to the formaldehyde use.

177

1 There is some difficulty in terminology where
2 Dr. Staudenmayer states, "He could feel the
3 electricity coming from the machines, indicating that
4 he did not rely on symptoms for appraisal of exposure
5 since he did not experience any immediate symptoms."

6 And the problem going on here is that
7 Mr. Firstenberg, in his terminology, he said that if
8 he could feel the electricity, then that is a symptom.
9 So it would not be an accurate description of
10 Mr. Firstenberg's history and experience.

11 Dr. Staudenmayer states, "Another relevant
12 factor that could explain his difficulties in medical
13 school and not pursuing a medical career is
14 hemophobia, which in acute cases can cause vasovagal
15 syncope," which is fainting.

16 So I object to this in that Mr. Firstenberg
17 has never had vasovagal syncope in medical school or
18 out of medical school. So that does not support that
19 he had hemophobia.

20 The only diagnosis of hemophobia comes from
21 that one response on the MMPI, which we discussed.
22 And to elevate that to be a relevant factor explaining
23 his difficulties in medical school is just too far of
24 a stretch to be a valid explanation of
25 Mr. Firstenberg's difficulties in medical school and

178

1 not scientifically warranted.

2 Dr. Staudenmayer states, "Away from the
3 stressors of medical school, he recovers fully and is
4 physically active again until 1984 when he has
5 problems with his girlfriend. The episode he
6 described at the Mount Madonna Center is another
7 example of somatization, consistent with anxiety or
8 panic."

9 So I'm not sure in that sentence what the
10 first example of somatization is. But if this is a
11 second example, he was not having problems with his
12 girlfriend at that time. So that would not be a
13 stressor.

14 So then I'm not sure what psychological
15 conflict Dr. Staudenmayer is referring to when he
16 diagnoses this episode as somatization. He states,
17 "Nevertheless, he does not seem to consider that he is
18 reacting to the loss of his girlfriend." And I don't
19 think that was what was going on from the timeline.

20 Dr. Staudenmayer states, "The origin of his
21 belief in environmental sensitivities appears to be a
22 suggestion by Elana Rubenfeld that he has MCS in late
23 1980." Mr. Firstenberg states that he did not meet
24 Elana Rubenfeld until 1982. He began training in
25 1983. He did not work for her until 1985.

179

1 After several years of working for her, she
2 suggested in 1988 more or less that he had MCS. So
3 that would be an inaccurate fact. And to make
4 conclusions from an inaccurate fact would just be
5 inaccurate. Mr. Firstenberg states that he knew he
6 was electrically sensitive since 1980. So that part
7 had nothing to do with Elena Rubenfeld at all at that
8 point.

9 Dr. Staudenmayer states, "He joins an
10 environmental sensitivities support group and learns
11 about EMF hypersensitivity." Again Mr. Firstenberg
12 gave a history of electromagnetic sensitivity
13 beginning in 1980. And Dr. Staudenmayer actually
14 stated that earlier in his report, when
15 Dr. Staudenmayer states "His EMF sensitivity 'spread'
16 to machinery used in the hospital where he worked."

17 So there's something wrong with the facts
18 there in that the electrical sensitivity goes far back
19 from an environmental sensitivities support group.
20 And we already discussed that that group had only one
21 other person with electrical sensitivity.

22 Dr. Staudenmayer states that, "When SSI
23 grants him disability status based on his alleged
24 sensitivities in 1996," I believe that actually he
25 received disability determination in 1997. And that

180

1 was both for multiple chemical sensitivity and
2 electrical sensitivity.

3 In criticizing Dr. Staudenmayer's diagnosis
4 of electrical sensitivity and I think also MCS, there
5 is -- Dr. Staudenmayer states, "There is no
6 specificity among symptoms and exposure agents."
7 However, in many diseases there are nonspecific
8 symptoms. That does not mean the disease does not
9 exist.

10 For example, influenza has basically
11 nonspecific symptoms. It doesn't mean that that
12 disease doesn't exist. So nonspecificity by itself is
13 not a valid reason to dismiss EMF and MCS as diseases.

14 Dr. Staudenmayer states, "Not only does he
15 implicate the devices that relate to wireless signal
16 transmission devices, he also implicates the power
17 line current in Ms. Monribot's house. But the power
18 lines in his house are deemed safe, even though they
19 originate from the same transformer."

20 So here Dr. Staudenmayer is criticizing
21 Mr. Firstenberg in saying that he is inconsistent and
22 then implying that his inconsistency is either
23 deliberate or part of a psychological problem.

24 But I don't think that fact is justified,
25 because Mr. Firstenberg states that both power lines

181

1 are safe if they're not contaminated by high
2 frequencies. So here it's a misunderstanding of the
3 nature of the stimulus that causes Mr. Firstenberg's
4 symptoms and developing a data point for further
5 support of his opinion that's invalid.

6 Dr. Staudenmayer states, "The onset of
7 symptoms has nonspecific latency, although he reports
8 immediate symptoms when he visually identifies an
9 electronic device." Mr. Firstenberg does not actually
10 report that. He reports that he avoids devices when
11 he sees them, but that he's not necessarily
12 symptomatic when he sees an electronic device that
13 could produce symptoms. So again it's improper facts.

14 Dr. Staudenmayer states, "When exposed to EMF
15 from the same device on different occasions, he may or
16 may not react. He explains this by variation in his
17 baseline state. When he feels strong, he can tolerate
18 exposure; when weak, he reacts."

19 Dr. Staudenmayer then gives his
20 interpretation of this and states, "This reflects a
21 pseudoscientific clinical ecology principles of
22 adaptation/de-adaptation and Total Body Load. This
23 defies the fundamental principle of toxicological
24 causation, dose-duration-response."

25 And I disagree with Dr. Staudenmayer's

182

1 statement that this defies the fundamental principle
2 of toxicological causation because -- for a number of
3 reasons. One is that symptoms in a person that are
4 induced by toxic chemicals does, in fact, depend on a
5 state of the person or the host, however you might
6 call that, when they're exposed to the substances or
7 the agents.

8 And this is, of course, clearly reflected in
9 the toxicological concept of threshold limit value,
10 where half of the animals die with a lethal dose and
11 some of them are alive, in that some of the animals
12 are stronger than other animals and they survive. So
13 this actually is a toxicological principle.

14 And Dr. Staudenmayer describes what I believe
15 is a true principle as pseudoscientific clinical
16 ecology principles, where, in fact, simply put, when
17 someone is strong, they can tolerate more exposure;
18 and when they're weak, they can react.

19 That is what Mr. Staudenmayer had stated. So
20 I believe -- excuse me. That is something that
21 Mr. Firstenberg stated. So to use that statement as a
22 reflection of delusions on the part of Mr. Firstenberg
23 is not accurate because it's an invalid interpretation
24 of the data point.

25 Dr. Staudenmayer continues, for example, "He

183

1 can work" -- let me back up. "He can rationalize the
2 use of these devices when they suit his needs." So
3 here Dr. Staudenmayer is rendering a psychological
4 interpretation for a toxicological fact that he
5 gives -- Dr. Staudenmayer gives an example.

6 "For example, he can work on a computer in
7 the library all day when he needs to." And
8 Mr. Firstenberg states that, when he's stronger, he
9 can work on a computer in the library. But he also
10 feels that he does get -- he does react to it. But
11 some days he can work for longer periods of time than
12 other days.

13 But Mr. Firstenberg states that some things
14 he can't tolerate such as cell phones and cordless
15 phones. So those are things he can't tolerate when he
16 needs to, but some things he can tolerate when he
17 needs to.

18 So to use working on a computer at the
19 library all day -- and I'm not sure whether
20 Mr. Firstenberg can really do that, if he can work all
21 day -- then that is also extrapolating from an
22 inaccurate data point.

23 On page 25 Dr. Staudenmayer states
24 Mr. Firstenberg failed to mention during his interview
25 a significant event. And that the event -- and that

184

1 his failure to mention this event was "consistent with
2 his bias to deny psychological factors that could not
3 be attributed to environmental exposures."

4 However, Mr. Firstenberg states that the
5 event was not related to chemical exposures or EMF and
6 that is why he did not mention that event. So
7 Dr. Staudenmayer is saying that it's consistent with a
8 bias to deny psychological factors; and, however, the
9 not mentioning could also be consistent with not being
10 asked the question that would elicit that response.

11 I'm not immediately locating where in
12 Dr. Staudenmayer's report he states this. Oh, I have
13 it. He states, "The accepted methodology to test
14 these hypotheses is the double-blind
15 placebo-controlled protocol." And I disagree with
16 that.

17 And I state that, when people go to doctors
18 to get assessments, they don't normally undergo a
19 double-blind placebo-controlled protocol, whether it's
20 for a neuropsychological illness or a medical illness.
21 Doctors do not routinely administer such protocol. So
22 I think that that is inaccurate.

23 I'm not sure what to say. I have maybe -- I
24 have more comments about Dr. Staudenmayer's
25 interpretation of the scientific literature. And I

185

1 have more comments about his conclusions. It could be
2 maybe an hour of comments. I'm just not sure if I'm
3 going to be thorough.

4 **Q. Okay.**

5 **A. And it's getting near six o'clock.**

6 **Q. Do you want to take a break? Because you**
7 **told me you just had a cursory review. You spent the**
8 **last 50 minutes and you're still going. So it's**
9 **appears to me it's not a cursory review?**

10 **MR. LOVEJOY:** I think that's completely
11 consistent.

12 **BY MR. ROMERO:**

13 **Q. A cursory review is a quick glance. Let's do**
14 **this, let's take a ten-minute break. We have to**
15 **finish this. This is the only chance I get to talk to**
16 **you. And, you know, I was told by Mr. Lovejoy that**
17 **you only had -- you only really got to review this**
18 **thing yesterday.**

19 **And it sounds like to me you really reviewed**
20 **it. And I need to know this. I mean Mr. Lovejoy**
21 **moved this deposition one day over so that I can ask**
22 **you questions about Dr. Staudenmayer's final report.**
23 **And that's what we're doing. So, you know, he**
24 **accommodated us to do that.**

25 **And I know it's an inconvenience and I know**

186

1 you're tired. But if it takes another hour, I think
2 that has to be true. We have to do this. Now, you
3 know, this is my only chance to talk to you. I have
4 to prepare a Daubert motion based on what you say.

5 And part of that involves me knowing what you
6 have to say about Mr. Staudenmayer's report. So how
7 about we just take a ten-minute break and then we just
8 proceed until we're finished?

9 MR. LOVEJOY: Let's go off the record. Can
10 we go off the record?

11 MR. ROMERO: Sure.
12 (Discussion off the record.)

13 MR. ROMERO: Let's go back on the record.
14 We'll call the Staudenmayer report dated
15 April 26th, 2012, Exhibit No. 9.

16 (Singer Exhibit No. 9 marked.)

17 BY MR. ROMER:

18 Q. You've been referring to some typewritten
19 notes. And if I can ask, did you prepare these
20 typewritten notes?

21 A. Yes.

22 Q. Did Mr. Firstenberg assist you in these
23 notes?

24 A. Yes.

25 Q. Okay. Let's make that Exhibit 10. And we'll

187

1 have the court reporter make four copies and attach
2 that as an exhibit. And we'll do that during the
3 break. So let's take ten minutes and we can let our
4 loved ones know where we're at.

5 (Singer Exhibit No. 10 marked.)

6 (Recess.)

7 MR. ROMERO: Let's go back on the record.

8 BY MR. ROMERO:

9 Q. Dr. Singer, please continue with your
10 comments and criticism of Dr. Staudenmayer's report.

11 MR. LOVEJOY: Exhibit 9.

12 THE WITNESS: On page 25 Dr. Staudenmayer
13 states, "Dr. Gordon's conclusions contributed to the
14 iatrogenic component of Mr. Firstenberg's belief,"
15 implying that Mr. Firstenberg's illness is exacerbated
16 by his treatment by doctors familiar with this
17 condition.

18 And I believe that that is inaccurate and a
19 misunderstanding of these doctors' special abilities
20 to manage EMF and MCS cases that actually --

21 MR. ROMERO: Oh, time out. Did we call
22 Dr. Staudenmayer?

23 (Discussion off the record.)

24 BY MR. ROMERO:

25 Q. Dr. Singer, please continue.

188

1 A. That these doctors who are familiar with the
2 condition actually can help improve people who suffer
3 from this condition through an accurate diagnosis and
4 through treatment recommendations depending upon the
5 case. He also attributes -- --

6 (Discussion off the record.)

7 THE WITNESS: He also attributes iatrogenic
8 reinforcement to Dr. Gunnar Heuser and to Dr. William
9 Morton and maybe to other doctors. So I disagree with
10 that.

11 He criticizes Dr. Morton's advice. I don't
12 know if Dr. Morton said total avoidance of the EMF
13 devices, because that's a relative question. But
14 indeed people that -- many people that do -- who have
15 sensitivity to chemicals or to EMF, if they do reduce
16 their exposures, that seems to be the one factor that
17 tends to improve their condition.

18 And living in remote areas in the wilderness
19 can help some cases or not help other cases. It
20 depends on other factors. Maybe they're exposed to
21 products in the wilderness. Anyway I won't get into
22 that.

23 Dr. Staudenmayer interprets the reaction to a
24 pet trainer while staying in a female friend's
25 apartment as another example of somatization. But

189

1 again I'm not sure that it was a pet -- I don't think
2 it was a pet trainer. And just to say that a person
3 who reacts to a toxic substance is somatizing, it's
4 not based on data, there could be other reasons such
5 as chemical sensitivity or toxicity.

6 I am going to skip over the criticisms of
7 "Naturalistic observations" because I think we covered
8 that in our discussion. I think we've covered that
9 fairly thoroughly.

10 BY MR. ROMERO:

11 Q. That's fine.

12 A. Under "Neuropsychological testing,"
13 Dr. Staudenmayer states, "This presupposition is
14 unsubstantiated and disproven by the existing
15 scientific evidence reviewed below." And I disagree
16 with that.

17 I feel that there is ample evidence to
18 support my statements about the effects of
19 electromagnetic radiation, that it is substantiated,
20 and it is not disproven by the evidence reviewed
21 below.

22 Dr. Staudenmayer states, "The interpretation
23 of the neuropsychological testing results by
24 Dr. Singer do not conform to accepted practices for
25 the interpretation of neuropsychological testing."

190

1 And I disagree with that. I've been working in
2 neuropsychology since 1978. And I believe that my
3 practices do conform with accepted practices of
4 neuropsychology.

5 He states, "Neuropsychological testing
6 measures are not a valid diagnostic tool." But, in
7 fact, they are widely used as a valid diagnostic tool
8 all over the world and certainly in the United States
9 and Canada. There's a discussion of confounding
10 variables. But these are taken into account in my
11 interpretation of the results.

12 He states that my "fundamental presupposition
13 of the ill effects of the EMF is scientifically
14 unsubstantiated." I disagree with that. I think that
15 there's ample evidence to show that there can be ill
16 effects from EMF in the scientific literature.

17 I am going to skip over Dr. Staudenmayer's
18 description of double-blind placebo-controlled. I'm
19 skipping over that. And I'm going to now --

20 **Q. When you say you're skipping over that, you**
21 **have no comments or criticisms or you do?**

22 A. I have to study it more to determine if I
23 have comments or criticisms. I don't have any
24 immediately.

25 **Q. You have no opinion on it one way or the**

191

1 **other right now?**

2 A. Yes.

3 **Q. Is that a yes?**

4 A. Yes, it is a yes. Now, I'm going to turn to
5 Dr. Staudenmayer's literature review, which he uses to
6 substantiate his opinion. And I'm going to start with
7 Regel, 2006, also known as the Zurich study.

8 And I believe that Dr. Staudenmayer was
9 inaccurate in his description of the study. He
10 states, "The exposures were conducted in an
11 electrically shielded laboratory chamber." However,
12 it clearly states that that was not so in the study
13 because one side of the chamber was open.

14 Now, getting back to the study itself, "All
15 subjects with sleep disturbances were excluded." So
16 this exclusion criteria would exclude people who are
17 sensitive to EMF. And, therefore, it's not a valid
18 study with regard to people that are sensitive to EMF
19 since they weren't in this study.

20 The people that -- the subjects that were in
21 the study have self-reported sensitivity but not a
22 doctor diagnosed condition. And some of them may not
23 have been sensitive. They may have thought they were
24 sensitive, they may not have been sensitive. Some
25 might have been confused. So we don't know. That's

192

1 an unknown factor.

2 This study was promoted as a replication of
3 the TNO study. But it wasn't, because there were
4 factors that were different, such as the lack of a
5 completely shielded room and the exclusion of people
6 with sleep disorders. It was not a true replication,
7 but it was a modification of the original study.

8 The source of funding for the study
9 introduces bias. The study was supported by the Swiss
10 Research Foundation on Mobile Communications, which is
11 industry connected.

12 And there have been other studies which I
13 reviewed in one of my other reports showing that, when
14 you look at studies on this topic with regard to
15 positive and negative and you determine their source
16 of funding, that studies that are funded by industry
17 are much more likely or maybe always have negative or
18 null findings compared to studies by private industry.

19 So that raises a question of bias in that
20 investigators that are industry funded may be tending
21 to have negative findings in order to continue their
22 source of funding.

23 I believe that that study also eliminated
24 people with neurological illnesses. So that would
25 exclude neurological illnesses from EMF as identified

193

1 in various epidemiological studies. So it is
2 difficult to understand, if they say they were
3 studying sensitive people, that the subjects were not
4 sensitive, then the sensitive subjects were excluded.
5 So there's a contradiction there.

6 The so-called replication used an original
7 questionnaire on current disposition from the TNO
8 study. But that fails to measure somatic complaints.
9 So those somatic symptoms were not studied. And this
10 reduced their study design to identify effects of EMF
11 on somatic symptoms.

12 Further in their analysis, they lumped all of
13 the symptoms together or the 23 questions together,
14 whereas they should have analyzed them separately;
15 because when you lump together symptoms that are
16 sensitive with symptoms that are not sensitive, then
17 you come up with a less sensitive metric and less
18 likely to find positive findings.

19 I am wondering why what we're calling the
20 Zurich study would have a control group with almost
21 three times the numbers of the sensitive group. And I
22 question whether this would bias the analysis in terms
23 of statistics in that the sensitive group would have
24 to be especially sensitive in order to counteract the
25 statistical weight of the larger group.

194

1 There is the question that in the Zurich
2 study they were using notebooks, computers, that had
3 built-in wireless capability. And, if so, were they
4 emitting microwave radiation. And that was not
5 specified and not -- or possibly not controlled in the
6 Zurich study.

7 I may have other criticisms of that study.
8 But it would require further analysis for me to
9 comment on them. So I'm going to move on to another
10 study that Dr. Staudenmayer has relied upon.

11 The Mobile Phone Exposure and Spatial Memory
12 Study, again this study excluded people with current
13 medical or psychological illnesses which could include
14 people that have been affected by mobile phone
15 exposure. They're excluded.

16 A history of brain injury, people with brain
17 injury from mobile phone exposure would be excluded.
18 Sleep disorders, same criticism. So the study was
19 biased against finding -- having findings because a
20 sensitive population was not being studied.

21 This study did apparently reveal an effect of
22 radiofrequency exposure in that, according to the
23 study, the symptomatic group, quote, improved their
24 performance during radiofrequency exposure.

25 The question is raised here, well, it's

195

1 possible that -- the question is raised here that,
2 according to the authors, the mobile phone exposure
3 had an effect on brain function. Then the question is
4 is that a positive effect or a negative effect. They
5 said that the effect improved their performance.

6 However, this may have been a simple task.
7 And just like you can improve performance using small
8 doses of caffeine occasionally in people on simple
9 tasks, with more complex tasks, that improvement falls
10 off. And also with repeated exposure to a substance
11 that has a stimulatory effect, that can be an adverse
12 effect.

13 For example, if the stimulation causes
14 anxiety, eventually that could be an adverse effect.
15 If something produces manic depression and
16 electromagnetic sensitivity of frequency radiation
17 seems to have phases of effect in that it can in some
18 people under some circumstances have an excitatory
19 effect, then that may well be followed with a
20 depression effect.

21 So if you just look at, say, the manic phase
22 or this manic depression and you say, well, there's an
23 excitement and there's an improvement, just like a
24 person with manic depression can function well under
25 certain circumstances and maybe get a lot of business

196

1 done but then falls into a depression, ultimately the
2 stimulatory effect is not sustainable and is an
3 adverse effect.

4 In this study there's a question of whether
5 it applies to people with electrical sensitivity
6 because they would not be able to tolerate the
7 stimulation at all and, therefore, their response to
8 exposure would be different than the response of
9 people that can tolerate that exposure. So these
10 are -- this is a discussion of invalidity of
11 interpretations from that study.

12 Referring to the study Psychophysiological
13 Tests and Provocation of Subjects With Mobile Phone
14 Related Symptoms, respondents or potential subjects
15 with aspects of health status -- it's not specified --
16 and medication were excluded. So this also can
17 exclude people with electrical frequency sensitivity,
18 because they may fall into that group of having
19 confounding factors.

20 I believe they also excluded respondents
21 experiencing symptoms when using electrical equipment
22 other than mobile phones. So if these people are
23 excluded, then you're excluding people that are
24 sensitive to electrical -- electromagnetic radiation.
25 So again this attacks the validity of the results when

197

1 applied to people with electrical sensitivity.

2 Turning to the study Effects of Short-Term
3 W-CDMA Mobile Phone Base Station Exposure on Women
4 With or Without Mobile Phone-Related Symptoms, this
5 was a small study. They only had 11 subjects;
6 therefore, they would have low statistical power.

7 And nine of the 11 subjects were cell phone
8 users. So they did not have electrical
9 hypersensitivity symptoms. That's confusing because
10 that's what they were trying to study. Nine of their
11 11 subjects didn't have what they were trying to
12 study. It's very confusing.

13 They started out with over 3,000 subjects and
14 then they narrowed it down. That's I guess a summary
15 of my critique of that, in that it's not -- it was not
16 a valid study. They state in their study "MPRS,"
17 mobile phone I guess reaction symptoms, "can be
18 considered an extension of EHS, in which case the
19 former includes the latter." Never mind.

20 I'm going to move on to the next study,
21 Short-Term Exposure to Mobile Phone Base Station
22 Signals Does Not Affect Cognitive Functioning or
23 Physiological Measure in Individuals Who Report
24 Sensitivity to Electromagnetic Fields and Controls.

25 Some of my criticisms here are that the

198

1 subjects were self-reported. They weren't diagnosed.
2 So it includes a mixed group. All of the data was
3 averaged. But since response to electromagnetic
4 frequency radiation or responses are biphasic, this
5 eliminates or muddies the results.

6 There was in problem on page 5, where they
7 talk about rejecting data because it was skewed and
8 not transformed. And I need to study that further.
9 But to me I'm suspicious that they rejected data
10 improperly. Again this was funded 50 percent by
11 industry; and, therefore, that raises the question of
12 bias.

13 Okay. Further criticisms of that study can
14 be found by other researchers that have published
15 their critique of that study in Environmental Health
16 Perspectives. And I wrote out these -- I copied out
17 their critiques that were published in my paper on
18 page 41, 42, 43, 44. So I just will refer you to look
19 at that.

20 **Q. And this is Exhibit 6? This is your separate**
21 **report.**

22 A. Yes.

23 **Q. Exhibit 6?**

24 A. It's my separate report. Now, addressing
25 Idiopathic Environmental Intolerance Attributed to

199

1 Electromagnetic Fields, lead author Rubin relies on
2 three -- table 2 relies on three out of four of the
3 studies that I previously critiqued. So I think his
4 review is based on faulty data.

5 The paper Do People With Idiopathic
6 Environmental Intolerances Attributed to
7 Electromagnetic Fields Display Physiological Effects
8 When Exposed to Electromagnetic Fields, another paper
9 of lead author Rubin that Dr. Staudenmayer cites.

10 And I haven't had a chance to thoroughly
11 review that one either. But most of the studies
12 chosen in table 2 may have industry funding. And I
13 need to -- I need to examine that further before I
14 commit to that.

15 With regard to Effects of Mobile Phone
16 Electromagnetic Fields: Critical Evaluation of
17 Behavioral and Neurophysiological Studies that
18 Dr. Staudenmayer cites, again my numbers may not be
19 accurate. I would want to double-check them.

20 But roughly speaking 107 studies were cited.
21 And I need to check this. But I have information that
22 all but nine provocations were done with mobile
23 phones. If that's true, then the studies were done on
24 people without electrical hypersensitivity syndrome.

25 In spite of this, 47 to 49 of those studies

200

1 actually showed biological effects. So all in all
2 biological effects may have been shown. But that is a
3 cursory review of that.

4 Now, in contrast to Dr. Staudenmayer on page
5 32, I believe that scientific evidence from all the
6 studies support the conclusion that psychological and
7 physiological effects can be caused by EMF exposure.
8 There can be unreliability, however, that depends on
9 factors such as we've discussed, such as sensitivity,
10 prior exposures, many factors.

11 Factors that can lead to false negative
12 studies include "Selection of task type; not repeating
13 study designs that previously revealed effects; not
14 including practice sessions; not taking into account
15 learning effects; selection of the wrong tasks; not
16 taking into account fatigue and motivational loss and
17 the timing of tasks, task order, and test duration;
18 not considering the effect of sample size, using too
19 small, too heterogeneous samples; not considering
20 handedness; unclearly designed inclusion and exclusion
21 criteria; not using within subject, crossover design;
22 irreproducible exposure conditions; insufficient
23 exposure duration; not considering potential carryover
24 effects in a crossover design; not allowing for
25 sufficient time interval or ('washout') between

201

1 conditions."

2 **Q. Dr. Singer, you were referring to Exhibit 6,**
3 **right? Exhibit 6 the separate study. What pages were**
4 **you reading from?**

5 A. Page 34, item 16.

6 **Q. Thank you.**

7 **(Discussion off the record.)**

8 THE WITNESS: So generally speaking it's
9 difficult to rely on negative studies because negative
10 studies are not as probative as positive studies.

11 "Studies with a negative results are
12 inconclusive. The scientific method requires a
13 hypothesis to be tested. If the hypothesis is
14 confirmed, then the veracity of the hypothesis is
15 supported. If the hypothesis is not confirmed, then
16 we only know that this study did not confirm the
17 hypothesis.

18 "Studies with negative results are ambiguous
19 to interpret. The results could mean that confounding
20 or competing independent variables were not
21 controlled. The results could mean that the testing
22 protocol was insensitive to test the hypothesis.

23 "The results could mean that there were too
24 many errors in the laboratory procedures to support
25 the hypothesis being tested. The results could mean

202

1 that the hypothesis is false, but the study is not
2 designed for that purpose."

3 Just kind of as a crazy example, you could
4 have many studies to show that the sun revolves around
5 the earth or to show that the earth does not revolve
6 around the sun. But ultimately, over the course of
7 scientific experimentation and observations and
8 positive studies, we came to understand that the earth
9 revolves around the sun.

10 Okay. Going now to Dr. Staudenmayer's
11 conclusions, under Lack of Evidence. I believe
12 that -- in contrast to Dr. Staudenmayer, I believe
13 that toxic chemicals are actually known to be able to
14 cause environmental intolerance. And that
15 electrical -- exposures to electrical magnetic
16 frequencies can cause illnesses.

17 And again I disagree with Dr. Staudenmayer's
18 second conclusion. I think there is scientific
19 evidence to support adverse physiological,
20 psychological, or neuropsychological effects from EMF
21 exposure. I believe there is valid scientific
22 evidence in this case that Mr. Firstenberg suffers
23 adverse physiological and neuropsychological effects
24 from EMF exposure.

25 He's citing that there's a lack of evidence.

203

1 And I believe that there is evidence for the above.
2 There is evidence to show that Mr. Firstenberg suffers
3 an adverse effect from his exposure from the Monribot
4 house.

5 Dr. Staudenmayer states that "under open,
6 nonblinded conditions, Mr. Firstenberg claims he can
7 identify specific exposures from sensations he
8 experiences." And he doesn't claim that. In fact, he
9 claims that frequently he cannot do that, that effects
10 can be delayed, and that he may not be able to detect
11 from his experience.

12 And the same is repeated for symptoms. And I
13 have the same answer. I disagree. I believe
14 Mr. Firstenberg has -- is attempting to undergo a
15 double-blind placebo-controlled study or a
16 double-blind study. I'm not totally sure what he
17 means by placebo-controlled. But I will say
18 double-blind.

19 "In my interview he stated he would not be
20 able to reliably discriminate an EMF signal from the
21 electronic devices in the Monribot house from
22 placebo." I'm just going to defer. I haven't time to
23 analyze that.

24 Going on to Psychological Factors in
25 Dr. Staudenmayer's conclusions, "Mr. Firstenberg has a

204

1 history of difficulty in coping with stressors," I
2 disagree with that. I think he's coping well with
3 stressors in his life.

4 He states that, "He reacts to stressors with
5 physical symptoms, consistent with" -- he gives an
6 example, "anxiety disorders." But I believe that his
7 reaction to stressors with physical symptoms is
8 because the stressors are actually causing the
9 physical symptoms.

10 "He lacks insight into his own motivations,
11 which are primary and secondary gain." This
12 presupposes -- this statement presupposes that
13 Mr. Firstenberg has primary and secondary gain
14 motivations.

15 And there's no evidence for that. Rather his
16 motivation is not for primary and secondary gain. And
17 that would have to be specified more scientifically in
18 order to document that.

19 "He denies that psychological factors or
20 stress affect his symptoms." That's not what
21 Mr. Firstenberg states. He states that psychological
22 factors do affect his symptoms. Sometimes he doesn't
23 know whether he has anxiety from his exposure or
24 because he's afraid of getting symptoms.

25 "On self-report psychological questionnaires

205

1 he denies the same symptoms he reports to
2 self-identified environmental exposures." We reviewed
3 that. And that's a mischaracterization of
4 Mr. Firstenberg's reported symptoms and environmental
5 exposures.

6 "He projects the cause of his distress onto
7 nonpersonal environmental factors, chemicals, and
8 EMF." I don't believe that this is supported by
9 Dr. Staudenmayer's tests that he administered, the
10 MMPI and the SCL in that they didn't identify a
11 projection of causes of distress.

12 So I'm wondering on what scientific basis he
13 uses to determine, one, that there is some stress
14 within Mr. Firstenberg capable of being projected; and
15 two, that that stress is projected. I don't see the
16 scientific basis for that statement. It seems
17 speculative to me.

18 "He develops complex rationalizations for the
19 nonspecificity of his reactions, echoing postulates of
20 the unsubstantiated theory of Clinical Ecology." I'm
21 not sure what he's referring to. Clinical ecology was
22 a term that I think was used in the seventies. I'm
23 not sure how widespread use it was after that.

24 I don't know who is a clinical ecologist. I
25 don't know what their theories are.

206

1 If he's referring to multiple chemical
2 sensitivity, then actually there are some substantial
3 studies of multiple chemical sensitivity that are
4 widely available in toxicology literature that
5 substantiate --

6 (Phone interruption.)

7 (Discussion off the record.)

8 THE WITNESS: I disagree that he has complex
9 rationalizations because that presupposes a
10 rationalization. And I don't believe that that
11 exists. Rather -- and also he then calls this
12 rationalization complex. And I believe that actually
13 he gives a simple reason for his reactions that are
14 not a rationalization and not complex.

15 I disagree that "His alleged reactions to
16 chemicals and EMF are cognitively mediated." I
17 believe that they are neuropsychologically and
18 neurotoxicologically mediated.

19 "He is suggestable." I'd like to see someone
20 try and suggest something to Mr. Firstenberg to find
21 out if he is suggestable. He is the opposite of
22 suggestable. So I don't think that's based on data.

23 "He seeks out clinical ecology doctors who
24 reinforce his belief." I don't know if any of his
25 doctors identify as being clinical ecology doctors.

207

1 He does go to doctors who are knowledgeable about
2 toxicology and neurotoxicology.

3 "He is susceptible to the nocebo effect
4 (expectations of sickness and the affective states
5 associated with such expectations cause sickness)."
6 In contrast I think he has the opposite in that
7 Mr. Firstenberg states that when he's not exposed, he,
8 in fact, feels fine and not sick. However, to the
9 extent that he has anticipatory anxiety, yes, I would
10 agree with Dr. Staudenmayer on that.

11 "He has been exploited by misinformed or
12 misguided doctors." I disagree with that, with the
13 terms -- with misguided, with misinformed, and with
14 exploited in that the doctors he has seen actually are
15 more informed than the average doctor regarding
16 chemical toxicity issues.

17 Misguided, I don't know what he means by
18 misguided. So I don't know what to say about that.
19 Exploited means that -- exploited usually has a
20 motivational aspect to it that the doctors are -- have
21 some intent. And I don't think his doctors do.

22 I happen to know many of his doctors. And
23 they're very honorable people. And they are not known
24 to be exploitative at all but that they are guided to
25 help people. So they are not misguided. And they are

208

1 guided to review the scientific literature. So that
2 is not misguided, that is properly guided. And they
3 are guided to become informed so they're not
4 misinformed.

5 "This iatrogenic influence has instilled and
6 reinforced his belief in IEI." Once again I don't
7 think anyone can suggest anything to Mr. Firstenberg
8 that he doesn't -- that he makes up his own mind about
9 things. And no one is putting ideas in his mind.

10 And he discovered his illnesses pretty much
11 on his own and then sought out medical attention to
12 further the diagnosis. I believe that it was not --
13 the influence of these doctors is not iatrogenic in
14 that people with sensitivity, if they continue to get
15 exposed, can, in fact, deteriorate and become much
16 worse physically, mentally, emotionally.

17 So it's the opposite of an iatrogenic
18 influence. But, in fact, when people with this
19 condition see doctors that are informed and properly
20 guided, then their influence is progenic.

21 Dr. Staudenmayer says that Mr. Firstenberg
22 isolates himself from the real world. And I think the
23 opposite is true. Mr. Firstenberg is very active in
24 the real world. He carries out public education
25 programs. He tries to influence legislators. He

209

1 carries out litigation. He writes letters to the
2 editor that are cogent, well written, and show that he
3 is actually very well-informed to the real world.

4 "His belief system of environmental
5 sensitivities represents an overvalued idea closed to
6 alternative psychological explanations." I disagree
7 with that statement. Mr. Firstenberg made it very
8 clear to me when he saw me that he wanted to know if
9 he had a psychological disorder that was causing his
10 belief system of environmental sensitivities.

11 So, in fact, he is open to psychological
12 explanations. And, in fact, Mr. Firstenberg and I had
13 discussed the anticipatory anxiety explanation for
14 some of his symptoms.

15 "The most appropriate psychiatric diagnosis
16 in the DSM-IV is undifferentiated somatoform
17 disorder." And I disagree with that. And I think he
18 doesn't qualify for that for many reasons.

19 One is that no credible, scientifically-based
20 explanation for a psychological explanation for his
21 illness has been put forth; and that according to the
22 category and the criteria that, if the illness can be
23 explained by a medical condition or by exposure to a
24 substance, then the diagnosis does not apply.

25 And I believe that Mr. Firstenberg's

210

1 condition can be explained by a
2 medical-toxicological-neurotoxicological-
3 neuropsychological condition. And, therefore, that
4 diagnosis does not apply. And I'm finished.

5 MR. ROMERO: You are finished. I pass the
6 witness, if there are any questions.

7 EXAMINATION

8 BY MS. KEITH:

9 Q. I just had wanted to ask you, Dr. Singer, are
10 you EMS -- do you have EMS?

11 A. EMS?

12 Q. Yes. Electromagnetic sensitivity.

13 A. I don't think so. Well, I might have a
14 little bit of it. But I haven't really noticed it to
15 be a problem.

16 Q. And what do you mean by you might have a
17 little bit of it?

18 A. I'm uncomfortable around a lot of electrical
19 equipment. But I'm able to tolerate computers,
20 monitors. I avoid cell phones when possible.

21 Q. And what do you mean you're uncomfortable?

22 A. I feel anxiety around -- I'm not sure how to
23 describe it. It's an anxious feeling.

24 Q. Okay. Are you a member of Mr. Firstenberg's
25 cellular phone task force?

211

1 A. Please repeat the question.

2 Q. Sure. Are you a member of Arthur
3 Firstenberg's cellular telephone task force?

4 A. I don't know.

5 MR. LOVEJOY: You mean an organization with
6 exactly that name?

7 BY MS. KEITH:

8 Q. Are you a member of one of Arthur
9 Firstenberg's organizations? I may have the title
10 wrong.

11 A. I don't know.

12 Q. Have you given Mr. Firstenberg any money for
13 any of his causes?

14 A. No.

15 Q. Has Mr. Firstenberg made any EMI complaints
16 beyond Ms. Monribot's house?

17 A. I believe he complains in many environments
18 that he's sensitive.

19 Q. At the very beginning of the deposition, you
20 talked about a couple of patients that you had with
21 EMI. That second person that you identified that you
22 worked with five years ago, was she a patient of yours
23 or someone you did legal work for?

24 A. I think it was more a patient. I don't
25 recall doing legal work for her.

212

1 MS. KEITH: Those are all my questions.

2 Thank you.

3 MR. LOVEJOY: I have no questions.

4 FURTHER EXAMINATION

5 BY MR. ROMERO:

6 Q. I have one follow-up to what Ms. Keith asked.
7 You said you have not donated any monies to
8 Mr. Firstenberg's various causes. Have you donated
9 your time in assisting Mr. Firstenberg in his causes?

10 A. I don't know if this qualifies. But my -- I
11 did give a reduced rate for some of the extended work
12 that I've done on getting up to speed on the topic of
13 electrical sensitivity and hypersensitivity because I
14 do -- it was a vast topic.

15 And it just didn't seem fair that he should
16 bear the burden of fully educating me on it. So I
17 gave him a reduced -- about a half rate. But then
18 again I put a lot of time into it so it adds up to a
19 lot of money.

20 Q. But this is in terms of the litigation in
21 this case. I'm talking about his other causes, you
22 know, his awareness campaigns, his website. Do you
23 donate your time with respect to those activities?

24 A. I have not donated my time to his website. I
25 have not -- I'm not sure if this qualifies. But I

213

1 have -- I attended -- I attended a showing of a film.
2 Actually I'm not sure that he sponsored that. So I
3 take that back.

4 And I think I answered questions. But I
5 don't think it was his responsibility. I attended at
6 least one hearing at the city council concerning
7 electromagnetic radiation.

8 Q. Did you speak during this hearing?

9 A. Yes.

10 Q. Okay. Anything else?

11 A. I attended a number of sessions of a group,
12 I'm not sure what the title of it is right now, that
13 Mr. Firstenberg was one of the leaders of the group.
14 I attended the session to educate myself as to the
15 topic of -- as to this topic.

16 MR. ROMERO: Okay. I have no other
17 questions. Read and sign?

18 MR. LOVEJOY: Yes. You're going to have to
19 read this.

20 (At 7:15 p.m. the deposition was concluded.)
21
22
23
24
25

214

1 FIRST JUDICIAL DISTRICT COURT
 2 COUNTY OF SANTA FE
 3 STATE OF NEW MEXICO
 4 ARTHUR FIRSTENBURG,
 5 Plaintiff,
 6 vs. Case No. D-0101-CV-2010-00029
 7 RAPHAELA MONRIBOT
 8 and ROBIN LEITH,
 9 Defendant.

10 CERTIFICATE OF DEPOSITION
 11 I, JAN A. WILLIAMS, New Mexico CCR #14, DO
 12 HEREBY CERTIFY that on May 18, 2012, the deposition of
 13 RAYMOND SINGER was taken before me at the request of,
 14 and sealed original retained by:
 15 For the Defendant Raphaela Monribot:
 16 JOSEPH L. ROMERO, ESQ.
 17 JOSEPH L. ROMERO, TRIAL LAWYER, LLC
 18 9 Alcalde Loop
 19 Santa Fe, New Mexico 87508
 20 I FURTHER CERTIFY that copies of this
 21 certificate have been mailed or delivered on _____,
 22 with changes, if any, by the witness appended, to the
 23 following counsel of record and parties not
 24 represented by Counsel:
 25 For the Plaintiff:
 LINDSAY A. LOVEJOY, JR., ESQ.
 LAW OFFICE OF LINDSAY A. LOVEJOY, JR.
 3600 Cerrillos Road, Suite 1001A
 Santa Fe, New Mexico 87507
 For the Defendant Robin Leith:
 ANN L. KEITH, ESQ.
 STIFF, KEITH & GARCIA, LLC
 400 Gold Avenue, S.W., Suite 1300W
 Albuquerque, New Mexico 87102
 I FURTHER CERTIFY that examination of this
 transcript and signature of the witness was requested
 by the witness and all parties present.

215

1 On _____, a letter was mailed or
 2 delivered to LINDSAY A. LOVEJOY, JR., ESQ., regarding
 3 obtaining signature of the witness.
 4 I FURTHER CERTIFY that the recoverable cost
 5 of the original and one copy of the deposition,
 6 including exhibits, to JOSEPH L. ROMERO, ESQ., is
 7 \$_____.
 8 I FURTHER CERTIFY that I did administer the
 9 oath to the witness herein prior to the taking of this
 10 deposition; that I did thereafter report in
 11 stenographic shorthand the questions and answers set
 12 forth herein, and the foregoing is a true and correct
 13 transcript of the proceeding had upon the taking of
 14 this deposition to the best of my ability.
 15 I FURTHER CERTIFY that I am neither employed
 16 by nor related to nor contracted with (unless excepted
 17 by the rules) any of the parties or attorneys in this
 18 case, and that I have no interest whatsoever in the
 19 final disposition of this case in any court.

20 JAN A. WILLIAMS, RPR
 21 Bean & Associates, Inc.
 22 New Mexico CCR #14
 23 License Expires: 12/31/12

24 (4246K) JAW
 25 Date taken: May 18, 2012
 Proofread by: JB

216

1 Firstenburg vs. Monribot and Leith
 2 WITNESS SIGNATURE/CORRECTION PAGE
 3 If there are any typographical errors to your
 4 deposition, indicate them below:
 5 PAGE LINE
 6 _____ Change to _____
 7 _____ Change to _____
 8 _____ Change to _____
 9 _____ Change to _____
 10 Any other changes to your deposition are to
 11 be listed below with a statement as to the reason for
 12 such change.
 13 PAGE LINE CORRECTION REASON FOR CHANGE
 14 _____
 15 _____
 16 _____
 17 _____
 18 _____
 19 I, RAYMOND SINGER, do hereby certify that I
 20 have read the foregoing pages of my testimony as
 21 transcribed and that the same is a true and correct
 22 transcript of the testimony given by me in this
 23 deposition on May 18, 2012, except for the changes
 24 made.
 25 DATE SIGNED _____ RAYMOND SINGER
 (4246K) JAW
 Proofed by: JB

217

1 DATE DELIVERED: _____
 2 LINDSAY A. LOVEJOY, JR., ESQ.
 3 LAW OFFICE OF LINDSAY A. LOVEJOY, JR.
 3600 Cerrillos Road, Suite 1001A
 Santa Fe, New Mexico 87507
 4 RE: Firstenburg vs. Monribot and Leith
 5 DEPOSITION OF: RAYMOND SINGER
 6 DATE TAKEN: May 18, 2012
 7 Dear LINDSAY A. LOVEJOY, JR., ESQ.:
 8 At the time of the above deposition/sworn statement,
 9 it was requested that the witness read and sign
 10 his/her transcript.
 11 Enclosed is your copy of the transcript with the
 12 original signature page. Please ask the witness to
 13 read the transcript, make any corrections on the
 14 signature page, and return the original signature page
 15 to our Albuquerque office.
 16 Enclosed is your copy of the transcript. Please
 17 read it, note any corrections on the signature
 18 page, and return the original signature page to
 19 our Albuquerque office. You may keep the
 20 transcript for your files.
 21 The transcript is now ready to review. Please
 22 contact our Albuquerque office, 505-843-9494, to
 23 make arrangements to have the transcript read and
 24 signed. If you live outside the Albuquerque area,
 25 please call 1-800-669-9492.
 The transcript is now ready for review. Please
 remit payment in the amount of \$_____ to our
 Albuquerque office. As soon as payment is received,
 your transcript will be delivered. If you choose not
 to pay, please contact our Albuquerque office,
 505-843-9494, to make arrangements for signature.
 Trial in this matter is set for _____. If
 the transcript has not been read and signed before
 that date, the original will be filed without a
 signature.

218

1 Other: _____

2 _____

3 The New Mexico Rules of Civil Procedure provide the
 4 witness 30 days in most instances from the receipt of
 5 this letter to read and sign his/her transcript. If
 6 he/she has not read and signed the transcript in that
 7 time, we will file the original transcript without the
 8 signature page.

9 Sincerely,

10 BEAN & ASSOCIATES, INC.

11 _____

12 _____

13 _____

14 _____

15 _____

16 _____

17 _____

18 _____

19 _____

20 _____

21 _____

22 _____

23 _____

24 _____

25 (4246K) JAW

219

1 RECEIPT

2 DATE: May 18, 2012

3 JOB NUMBER: (4246K) JAW

4 WITNESS NAME: RAYMOND SINGER

5 CASE CAPTION: Firstenburg vs. Monribot and Leith

6 *****

7 ATTORNEY: JOSEPH L. ROMERO, ESQ.

8 DOCUMENT: Transcript / Exhibits / Disks / Other _____

9 DATE DELIVERED: _____ DEL'D BY: _____

10 REC'D BY: _____ TIME: _____

11 *****

12 ATTORNEY: LINDSAY A. LOVEJOY, JR., ESQ.

13 DOCUMENT: Transcript / Exhibits / Disks / Other _____

14 DATE DELIVERED: _____ DEL'D BY: _____

15 REC'D BY: _____ TIME: _____

16 *****

17 ATTORNEY: ANN L. KEITH, ESQ.

18 DOCUMENT: Transcript / Exhibits / Disks / Other _____

19 DATE DELIVERED: _____ DEL'D BY: _____

20 REC'D BY: _____ TIME: _____

21 *****

22 ATTORNEY:

23 DOCUMENT: Transcript / Exhibits / Disks / Other _____

24 DATE DELIVERED: _____ DEL'D BY: _____

25 REC'D BY: _____ TIME: _____

1 FIRST JUDICIAL DISTRICT COURT
2 COUNTY OF SANTA FE
3 STATE OF NEW MEXICO

4 ARTHUR FIRSTENBURG,

5 Plaintiff,

6 vs.

Case No. D-0101-CV-2010-00029

7 RAPHAELA MONRIBOT
8 and ROBIN LEITH,

9 Defendant.

10 CERTIFICATE OF DEPOSITION

11 I, JAN A. WILLIAMS, New Mexico CCR #14, DO
12 HEREBY CERTIFY that on May 18, 2012, the deposition of
13 RAYMOND SINGER was taken before me at the request of,
14 and sealed original retained by:

15 For the Defendant Raphaela Monribot:

16 JOSEPH L. ROMERO, ESQ.

17 JOSEPH L. ROMERO, TRIAL LAWYER, LLC

18 9 Alcalde Loop

19 Santa Fe, New Mexico 87508

20 I FURTHER CERTIFY that copies of this
21 certificate have been mailed or delivered on _____,
22 with changes, if any, by the witness appended, to the
23 following counsel of record and parties not
24 represented by Counsel:

25 For the Plaintiff:

LINDSAY A. LOVEJOY, JR., ESQ.

LAW OFFICE OF LINDSAY A. LOVEJOY, JR.

3600 Cerrillos Road, Suite 1001A

Santa Fe, New Mexico 87507

For the Defendant Robin Leith:

ANN L. KEITH, ESQ.

STIFF, KEITH & GARCIA, LLC

400 Gold Avenue, S.W., Suite 1300W

Albuquerque, New Mexico 87102

I FURTHER CERTIFY that examination of this
transcript and signature of the witness was requested
by the witness and all parties present.

SANTA FE OFFICE
119 East Marcy, Suite 110
Santa Fe, NM 87501
(505) 989-4949
FAX (505) 843-9492

BEAN
& ASSOCIATES, Inc.
PROFESSIONAL COURT
REPORTING SERVICE


MAIN OFFICE
201 Third NW, Suite 1630
Albuquerque, NM 87102
(505) 843-9494
FAX (505) 843-9492
1-800-669-9492
e-mail: info@litsupport.com

1 On May 25, 2012, a letter was mailed or
2 delivered to LINDSAY A. LOVEJOY, JR., ESQ., regarding
obtaining signature of the witness.

3 I FURTHER CERTIFY that the recoverable cost
4 of the original and one copy of the deposition,
including exhibits, to JOSEPH L. ROMERO, ESQ., is
5 \$_____.

6 I FURTHER CERTIFY that I did administer the
oath to the witness herein prior to the taking of this
7 deposition; that I did thereafter report in
stenographic shorthand the questions and answers set
8 forth herein, and the foregoing is a true and correct
transcript of the proceeding had upon the taking of
this deposition to the best of my ability.

9 I FURTHER CERTIFY that I am neither employed
10 by nor related to nor contracted with (unless excepted
by the rules) any of the parties or attorneys in this
11 case, and that I have no interest whatsoever in the
final disposition of this case in any court.

12
13
14
15
16
17
18 
19 JAN A. WILLIAMS, RPR
Bean & Associates, Inc.
20 New Mexico CCR #14
License Expires: 12/31/12

21
22
23
24 (4246K) JAW
Date taken: May 18, 2012
25 Proofread by: JB

SANTA FE OFFICE
119 East Marcy, Suite 110
Santa Fe, NM 87501
(505) 989-4949
FAX (505) 843-9492

BEAN & ASSOCIATES, Inc.
PROFESSIONAL COURT
REPORTING SERVICE

MAIN OFFICE
201 Third NW, Suite 1630
Albuquerque, NM 87102
(505) 843-9494
FAX (505) 843-9492
1-800-669-9492
e-mail: info@litsupport.com

1 Firstenburg vs. Monribot and Leith

2 WITNESS SIGNATURE/CORRECTION PAGE

3 If there are any typographical errors to your
4 deposition, indicate them below:

5 PAGE LINE

6 _____ Change to _____

7 _____ Change to _____

8 _____ Change to _____

9 _____ Change to _____

10 Any other changes to your deposition are to
11 be listed below with a statement as to the reason for
such change.

12 PAGE LINE CORRECTION REASON FOR CHANGE

13 _____

14 _____

15 _____

16 _____

17 _____

18 _____

19 I, RAYMOND SINGER, do hereby certify that I
20 have read the foregoing pages of my testimony as
transcribed and that the same is a true and correct
21 transcript of the testimony given by me in this
deposition on May 18, 2012, except for the changes
made.

23 _____
24 DATE SIGNED

RAYMOND SINGER

25 (4246K) JAW

Proofed by: JB

SANTA FE OFFICE
119 East Marcy, Suite 110
Santa Fe, NM 87501
(505) 989-4949
FAX (505) 843-9492

BEAN & ASSOCIATES, Inc.
PROFESSIONAL COURT
REPORTING SERVICE

MAIN OFFICE
201 Third NW, Suite 1630
Albuquerque, NM 87102
(505) 843-9494
FAX (505) 843-9492
1-800-669-9492
e-mail: info@litsupport.com